

COMMONWEALTH OF PENNSYLVANIA
PUBLIC UTILITY COMMISSION

Handwritten scribbles

-----x
:
Letter of Notification of :
Philadelphia Electric Company :
relative to reconstructing and :
rebuilding of the existing 138 kv :
line to operate as a Woodbourne- :
Heaton 230-kv line in Montgomery and :
Bucks Counties. :
:
Further hearing. :
:
-----x

7
Docket No.
A-110550F055

Pages 736 through 962

Room 1306
State Office Building
Broad & Spring Garden Streets
Philadelphia, Pennsylvania

Wednesday, October 16, 1991

Met, pursuant to adjournment, at 10:10 a.m.

BEFORE:

HERBERT SMOLEN, Administrative Law Judge

APPEARANCES:

PATRICIA KRISE BURKET, Esquire
PA PUC Law Bureau
P.O. Box 3265
Harrisburg, Pennsylvania 17120
(For the Commission's Law Bureau)

PAUL R. BONNEY, Esquire
2301 Market Street, 23rd Floor
Philadelphia, Pennsylvania 19101
(For Philadelphia Electric Company)

BUCKETED
OCT 29 1991

EXHIBIT
FOLDER

RECEIVED
OCT 25 1991

Commonwealth Reporting Company, Inc.

700 Lisburn Road
Camp Hill, Pennsylvania 17011

SECRET
PUBLIC UTILITY COMMISSION

APPEARANCES (Continued):

WARD L. SMITH, Esquire
TOM WATSON, Esquire
Crowell & Moring
1001 Pennsylvania Avenue, N.W.
Washington, D.C. 20004-2505
(For Philadelphia Electric Company)

ROBERT J. SUGARMAN, Esquire
Sugarman & Associates
City Place, 16th Floor
101 North Broad Street
Philadelphia, Pennsylvania 19107
(For PAUSE)

TANYA J. McCLOSKEY, Esquire
DIANNE E. DUSMAN, Esquire
1425 Strawberry Square
Harrisburg, Pennsylvania 17120
(For Office of Consumer Advocate)

JESSE A. DILLON, Esquire
Two North Ninth Street
Allentown, Pennsylvania 18101
(For Pennsylvania Power & Light Company)

Commonwealth Reporting Company, Inc.

700 Lisburn Road
Camp Hill, Pennsylvania 17011

(717) 761-7150

1-800-334-1063

C O N T E N T S

<u>WITNESSES</u>	<u>DIRECT</u>	<u>CROSS</u>	<u>REDIRECT</u>	<u>RE-CROSS</u>
Abraham R. Liboff				
By Mr. Sugarman	740	--	881/936	--
By Mr. Watson	--	752	--	931

E X H I B I T S

<u>NUMBER</u>	<u>FOR IDENTIFICATION</u>	<u>IN EVIDENCE</u>
<u>Protestant's Statements</u>		
No. 2 (prepared direct testimony, Dr. Liboff)	740	751

Any reproduction of this transcript
is prohibited without authorization
by the certifying reporter.

P R O C E E D I N G S

1
2 ADMINISTRATIVE LAW JUDGE SMOLEN: This is a fur-
3 ther hearing in Docket A-110550F055.

4 I note for the record that the appearances remain
5 the same as yesterday's hearing, except that
6 Jesse A. Dillon, Esquire has entered an appearance on
7 behalf of PP&L, an intervenor.

8 Before proceeding with the regular evidentiary
9 portion of the hearing, I want to state for the record
10 that under date of October 3, 1991, received in this
11 office on October 7, 1991, the Administrative Law Judge
12 received a letter from an attorney representing Langhorne
13 Borough, in which the position of Langhorne Borough is
14 expressed.

15 As is customary, such letters are placed in a
16 special documents folder in each case, which contains
17 letters in general from the public, expressing views on
18 matters before the Commission.

19 And the special documents folder is created in
20 this type of case as well as others where there are vari-
21 ous letters.

22 I do note that there is no notation in this letter
23 that copies were served on the parties of record.

24 I am going to issue a ruling in writing directing
25 that this letter be placed in the special documents

1 folder in this case, and also directing counsel who sent
2 this letter, the attorney who sent this letter, to serve
3 it on all parties.

4 I may also have other items in that order pertain-
5 ing to this letter.

6 Having stated that, let's proceed with the wit-
7 ness, Mr. Sugarman.

8 MR. SUGARMAN: Thank you, Your Honor. I would
9 like to call Dr. Liboff at this time.

10 JUDGE SMOLEN: All right.

11 Please take the stand, Dr. Liboff.

12

13 Whereupon,

14 ABRAHAM R. LIBOFF

15 having been duly sworn, testified as follows:

16 JUDGE SMOLEN: Please take a seat, and state your
17 name.

18 THE WITNESS: My name is Abraham R. Liboff, L-I-B-
19 O-F-F; I reside at 345 Berwyn Road, B-E-R-W-Y-N Road, in
20 the city of Birmingham, Michigan.

21 JUDGE SMOLEN: Thank you.

22 Mr. Sugarman?

23 MR. SUGARMAN: Thank you.

24

25

1
2 DIRECT EXAMINATION

3 BY MR. SUGARMAN:

4 Q. Dr. Liboff, have you prepared testimony in
5 connection and for the purposes of your testimony here
6 today and submitted it in writing to the Public Utility
7 Commission?

8 A. Yes, I have.

9 Q. I show you a document entitled Testimony
10 Submitted to the Pennsylvania Public Utility Commission.11 MR. SUGARMAN: And I'll ask that it be marked as
12 Liboff Statement 1.

13 JUDGE SMOLEN: So marked for identification.

14 MS. McCLOSKEY: Your Honor, could we mark this
15 Protestant's Statement 2?16 JUDGE SMOLEN: Well, it may be easier for record
17 reference. Let's mark it Protestant's Statement 2. I
18 think the first one was marked --

19 MS. McCLOSKEY: Protestant's Statement 1.

20 JUDGE SMOLEN: All right. Then let's make this
21 Protestant's Statement 2.22 (Whereupon, the document was marked
23 as Protestant's Statement No. 2 for
24 identification.)

25 (Document handed to witness.)

1 BY MR. SUGARMAN:

2 Q. Dr. Liboff, is Protestant's Statement No. 2 a
3 statement which you prepared in preparation for your
4 testimony here today?

5 A. Yes, it is.

6 Q. And is it in fact the testimony that you would
7 give today with -- well, let me ask you this. Are there
8 any additions or corrections that you wish to make to
9 that statement?

10 A. This statement basically moves through an area
11 that deals with the research that has been conducted in
12 this field.

13 The only thing it lacks is perhaps some material
14 on the state of the funding of research in this field,
15 which I have at other testimonies provided to similar
16 bodies.

17 Q. And have you in your deposition last week
18 described your testimony in that area to counsel for
19 PECO?

20 A. I believe I did. I haven't seen the testimony
21 since it was --

22 MR. SUGARMAN: Your Honor, we don't have the tran-
23 script of Dr. Liboff's deposition from last Wednesday,
24 although we had ordered it expedited. I understand that
25 PECO has it, but we don't have it.

1 We did have a call from the court reporter on
2 Monday stating that she was going to mail it to us
3 Federal Express, but we haven't received it.

4 JUDGE SMOLEN: All right.

5 BY MR. SUGARMAN:

6 Q. I'm going to show you a document.

7 (Document handed to witness.

8 Is this testimony that you presented or prepared
9 in connection with an appearance before the Wisconsin
10 Public Service Commission?

11 A. Yes, this is an additional few paragraphs that
12 relate some of my attitudes towards the research funding
13 in this area of bioelectric magnetics research.

14 Q. And is that the additional testimony which you
15 stated to Mr. Smith, who examined you on behalf of PECO,
16 that we intended to offer as an addition to your testi-
17 mony here this morning?

18 A. Yes.

19 Q. Now, with that additional page --

20 MR. SUGARMAN: And, Your Honor, I need copies of
21 it for everybody.

22 JUDGE SMOLEN: Is there a problem with this fund-
23 ing matter?

24 MR. WATSON: Your Honor, I don't know what this
25 particular document says. The subject matter was brought

1 up in the deposition, but --

2 JUDGE SMOLEN: Hasn't it been supplied?

3 MR. WATSON: But this particular piece of paper, I
4 have never seen it.

5 MR. SUGARMAN: Your Honor, Dr. Liboff brought it
6 with him this morning from Michigan. The substance of it
7 was described to PECO in the deposition last Wednesday.
8 And at that time we told them that we would supplement
9 the testimony with that.

10 JUDGE SMOLEN: Well, why don't you show it to him,
11 and we'll see what Mr. Watson has to say.

12 (Document handed to Counsel Watson.)

13 (Pause.)

14 JUDGE SMOLEN: Go ahead, Mr. Watson, we're on the
15 record.

16 MR. WATSON: I believe what occurred in the depo-
17 sition was that Dr. Liboff was asked whether this was a
18 complete copy of his testimony that was prefiled accord-
19 ing to Your Honor's Order.

20 And I think he said that to the best of his abi-
21 lity right then he thought that it was.

22 Then he was asked, is the copy of your testimony
23 that you have in front of you, is that the copy that was
24 provided to you by Mr. Sugarman, and he says that is
25 mine.

1 Then the question was asked, does it have a page
2 seven. He says no. And then Dr. Liboff asked were you
3 interested in the same areas that had been the areas that
4 might have been left out.

5 And the next thing is Mr. Sugarman -- there's some
6 exchange that's not too clear. Mr. Sugarman says he'll
7 reserve ten minutes at the end of the deposition to ask
8 him about it if we don't want to.

9 And so at that point we asked him about it. And
10 then he talks a little bit about the subject matter of
11 this, which was mainly relating to funding, although it
12 adds a whole bunch of conclusions here.

13 JUDGE SMOLEN: Do the conclusions differ in any
14 respect, in your view, with respect to the prepared
15 testimony?

16 MR. WATSON: I don't know, Your Honor. I think
17 I'd have to go through this. I mean, it's a full page of
18 text. And I think we'd have to go through and check and
19 see what's new and what isn't.

20 I gather this is material that is added after the
21 original filing for some purpose. I don't know why it
22 wasn't in there before.

23 JUDGE SMOLEN: Well, again, not having seen it,
24 and I don't want to see it yet, and no other counsel have
25 seen it yet, is the topic funding for research as far as

1 you know?

2 MR. WATSON: Well, that is a topic, but there are
3 a lot of other statements in here, conclusions that I
4 think go beyond funding. And we just need time to
5 address it.

6 I mean, the fact that it was inquired into during
7 the deposition, or brought up by them during the deposi-
8 tion, and one question was asked, we just don't have the
9 chance yet to compare and see what's in it now.

10 JUDGE SMOLEN: Are you suggesting that we defer
11 this until later in the day?

12 MR. WATSON: Depending on how much time we have,
13 yes, Your Honor.

14 I think what we would like is at least an oppor-
15 tunity to read this, to compare it to what's in the depo-
16 sition, to develop whatever cross examination questions
17 we may have about it.

18 And I don't know whether we can do that today or
19 not. I guess it depends on what happens during the
20 remainder of the day.

21 JUDGE SMOLEN: Well, we have Dr. Liboff here for
22 today.

23 MR. WATSON: Yes, Your Honor. If this had been
24 prefilled, we wouldn't have any complaint.

25 JUDGE SMOLEN: I understand. It is a problem.

1 MR. WATSON: And if this had been given to us in
2 this form last week we wouldn't have at least as much of
3 a complaint.

4 But the problem is, just seeing it for the first
5 time it's hard to evaluate it right here on the spot.

6 JUDGE SMOLEN: Any suggestions? Go ahead,
7 Mr. Sugarman.

8 MR. SUGARMAN: Your Honor, I had a question --

9 MR. WATSON: I had one other piece of information
10 on this, if I could.

11 JUDGE SMOLEN: Go ahead.

12 MR. WATSON: It may have been difficult to find
13 this, so I'm not faulting anyone. But just in terms of
14 timing, we were asked if we could have a copy of whatever
15 the Wisconsin testimony was, which I gather this is an
16 excerpt from some testimony in a case in Wisconsin. And
17 I think Dr. Liboff kindly offered to do it if he could
18 find a copy in his office. But I believe they weren't at
19 his office at the time, so we ended up not getting it and
20 not seeing it until now.

21 JUDGE SMOLEN: Go ahead.

22 MR. SUGARMAN: Your Honor, what the history of
23 this is is that Dr. Liboff, I think, can speak for him-
24 self, but he intended to give this testimony as a part
25 of the written testimony, and somehow it got deleted.

1 Mr. Smith is the one, and Mr. Bonney are the ones
2 that called it to our attention by asking us --

3 JUDGE SMOLEN: I understand. But that's a
4 deposition. A deposition is not a hearing.

5 MR. SUGARMAN: No, no, I'm only going to this
6 point; that they asked us not just at the deposition but
7 also in writing, was the testimony complete. And
8 Dr. Liboff figured out when he went back and looked at it
9 that indeed that page had not been included.

10 Now, the implication that I draw from that is that
11 since they asked the question several times, is the tes-
12 timony complete, that they were aware. Because
13 Dr. Liboff has given --

14 JUDGE SMOLEN: Well, they may be aware, but not
15 aware of what was going to be said.

16 MR. SUGARMAN: No, I mean of this very testimony,
17 is what I'm saying.

18 JUDGE SMOLEN: Mr. Sugarman, you know Counsel on
19 discovery may ask loads and loads of questions, and
20 indeed to ask loads and loads of questions. That doesn't
21 mean that they intend to introduce of record the items
22 about which they ask.

23 MR. SUGARMAN: That's true. But Dr. Liboff did
24 give them notice last Wednesday of not only what the
25 subject matter was, but also what his opinions were, and

1 gave them a paraphrase of what is that additional page.

2 And again, I'm disadvantaged that I don't have the
3 transcript of that deposition although I ordered it, and
4 PECO has it.

5 So I can't directly respond to what they're saying
6 about the deposition. I was hoping I would have it by
7 yesterday. I didn't know they had it until this morning.

8 And so to respond to what they're attributing to
9 the transcript, I would suggest that we put in
10 Dr. Liboff's statements in the transcript of the deposi-
11 tion in lieu of that page on that subject. Because I
12 know that he paraphrased that page. And they can't say
13 they didn't have that.

14 MR. WATSON: Your Honor, in the first place, we're
15 not saying anything about what the deposition says about
16 this, because we haven't had a chance to prepare what
17 Dr. Liboff said, and it would take some time to do that.

18 Secondly, we asked him a standard question, is
19 this your testimony, is this your complete testimony.
20 It's a standard deposition question to see if somehow we
21 didn't get a copy of something that was filed, or what-
22 ever, or missed something.

23 And we had no knowledge that the testimony was
24 incomplete, and we had no way of knowing. I mean, it's
25 not testimony that we had any contact on other than just

1 receiving it dated August 28th, and there's been a long
2 time to have found that there was something missing. It
3 certainly could have been supplied. We could have taken
4 it into account during the course of this.

5 JUDGE SMOLEN: What I'm going to do is I'm going
6 to defer ruling on this at this time, and we're going to
7 take the original statement, Protestant's Statement
8 No. 2, which consists of six pages of testimony, and I'm
9 going to give Mr. Watson an opportunity, and his asso-
10 ciates an opportunity to look at it, and we'll deal with
11 it later in today's proceeding.

12 MR. SUGARMAN: Your Honor, can I just take a
13 minute off the record to get the name of the court repor-
14 ter so that we can contact her and find out why we're not
15 getting the transcript?

16 JUDGE SMOLEN: Yes, sure.

17 MR. WATSON: I might say, Your Honor, just to
18 note --

19 JUDGE SMOLEN: All right, let's stay on the record
20 then.

21 MR. WATSON: Yes. I got a phone call from the
22 court reporter on Friday night at home, somewhat pan-
23 icked, saying she was not able to complete the tran-
24 script, and what should she do. And I said you should
25 work to complete it, and Fed-Ex what you can to us.

1 So we got part of the transcript sent to us by
2 Fed-Ex, which arrived in our offices on Saturday. And I
3 asked her to call me on Saturday; she did.

4 And I asked her when she completed to go out to
5 the airport and to put it on one of these package
6 expresses, and then it came in Saturday night about ele-
7 ven o'clock.

8 And I don't know what other arrangements she may
9 have made, but that's the arrangements we made to get our
10 copy.

11 JUDGE SMOLEN: Thank you.

12 Let's proceed now with the witness.

13 MR. SUGARMAN: Thank you, Your Honor.

14 BY MR. SUGARMAN:

15 Q. Now, Dr. Liboff, with the exception of page
16 seven, which is being deferred at this time --

17 MR. WATSON: Excuse me, Mr. Sugarman, I'm sorry to
18 interrupt, but this would be a better time. Could we get
19 a copy of that?

20 (Document handed to Counsel Watson.)

21 MR. SUGARMAN: We need to make copies of it,
22 because it's the only one we have here.

23 MR. WATSON: This is the only one?

24 MR. SUGARMAN: That's the only one we have here,
25 yes. We need to make copies of it. But you can have it.

1 JUDGE SMOLEN: There's a machine here.

2 BY MR. SUGARMAN:

3 Q. Dr. Liboff, with the exception of page seven,
4 which is being deferred at this time, is Protestant's
5 Statement No. 2 your testimony that you would give here
6 orally today if you were to testify on direct examination
7 orally?

8 A. Yes, it is.

9 Q. And do you, in fact, adopt it as your
10 testimony?

11 A. Yes.

12 MR. SUGARMAN: Your Honor, I would move that
13 Protestant's Statement No. 2 be admitted as Dr. Liboff's
14 direct testimony.

15 JUDGE SMOLEN: It's admitted subject to any timely
16 motions which may be made.

17 MR. SUGARMAN: Thank you, Your Honor.

18 (Whereupon, the document marked as
19 Protestant's Statement No. 2 was
received in evidence.)

20 MR. SUGARMAN: At this time, Dr. Liboff is avail-
21 able for cross examination.

22 JUDGE SMOLEN: Mr. Watson.

23 MR. WATSON: Thank you.

24

25

CROSS EXAMINATION

BY MR. WATSON:

Q. Good morning again, Dr. Liboff.

A. Good morning, Mr. Watson.

Q. I've certainly heard of you, so it's good to meet you.

A. Likewise.

Q. To talk about this interesting subject.

I'd like to begin with a few questions about your background, which is the usual starting point.

Your bachelor's degree was in physics, is that correct?

A. That's correct.

Q. And I guess you're one of the first witnesses in this area. For the record, can you tell us what physics is basically the study of?

A. It's the application of a body of principles that go back to Newton's time and are still being gathered to all phenomena in nature.

Q. Basically, what does it explain?

A. It explains mostly fundamental principles. That is to say, principles that are perhaps more basic than questions of application, in the sense that the physics community normally moves towards explanations

1 that are global as opposed to, for example, engineering
2 applications, or applications in chemistry.

3 Q. Is it an experimental or a theoretical
4 science?

5 A. It is certainly both, judging from the great
6 physicists of the nineteenth and twentieth centuries
7 especially. They're equally important, the theoreticians
8 as well as the experimentalists.

9 Q. Talking about more global ideas as distin-
10 guished from applications; for example, the law of gra-
11 vity would be a global idea compared, say, to a particu-
12 lar piece of machinery might work? Is that the kind of
13 distinction you're drawing?

14 A. That's what I'm trying to say, that one can
15 express laws in simple statements like Newton's Law of
16 Gravity or $E=MC^2$.

17 And to the extent that one can do this in ways
18 that encompass everything under that area, this becomes
19 one of the missions in physics, as it were; as opposed
20 to, say, designing a clock, which might vary, depending
21 upon machine skills and other things.

22 Q. Okay. Now, physics has some areas of spe-
23 cialty within that larger field of study?

24 A. That's certainly true.

25 Q. For example, is there an area called

1 astrophysics?

2 A. There is an area called astrophysics. And if
3 I could list, geophysics, nuclear physics, atomic phy-
4 sics, solid state physics, which is now known as con-
5 densed matter physics.

6 There are subdivisions relating to biology in
7 biophysics; there are areas in medicine now called medi-
8 cal-physics.

9 It basically has to do with how many areas the
10 physicist is interested in trying to explain.

11 Q. So it covers a wide variety of subjects?

12 A. Well, the physics community has been accused
13 of being one which looks at all areas. And I think that
14 to an extent that's true. The only area we haven't got-
15 ten into is economics.

16 Q. That's probably good, for a lot of reasons.

17 (Laughter.)

18 A. For us too.

19 Q. Okay. Now, you have also, beyond the degree,
20 an advance degree, or two advanced degrees in physics?
21 You have a master's degree in physics?

22 A. I have a master's degree in physics, and I
23 also have the Ph.D.

24 Q. And that's also in physics?

25 A. That's also in physics, yes.

1 Q. Now, these bachelor's, master's and Ph.D.'s,
2 are they in -- does your degree say physics, or does it
3 say something like astrophysics or nuclear physics, or do
4 they just say physics?

5 A. All three degrees are physics.

6 Q. They weren't, for example, biophysics or
7 atmospheric physics, or any of those?

8 A. That's correct.

9 Q. Now, just exploring further in the outset
10 here, areas which you've had courses of education, have
11 you taken courses beyond the undergraduate level in the
12 field of immunology?

13 A. I have not.

14 Q. Teratology?

15 A. I have not.

16 Q. Molecular genetics?

17 A. No.

18 Q. Cellular biology?

19 A. No.

20 Q. Pathology?

21 A. I have not.

22 Q. Epidemiology?

23 A. No.

24 Q. Biochemistry?

25 A. I've sat in on a course on biochemistry, but I

1 have not taken it, I think, in the spirit of what you're
2 saying. It was not formally taken.

3 Q. Okay. Oncology?

4 A. No.

5 Q. Have you had any courses beyond undergraduate
6 courses in biology?

7 A. That's a -- I have taken courses in
8 biophysics. And of course I teach biophysics.

9 Q. Now, I believe you said in your testimony that
10 you're director of the medical-physics program at Oakland
11 University?

12 A. That's right.

13 Q. And Oakland University is located where?

14 A. About twenty or thirty miles north of the city
15 of Detroit, in Oakland County.

16 Q. So the Oakland is a name associated with the
17 county, it doesn't have anything to do with the city in
18 California that we know better.

19 A. No, it's in Michigan.

20 Q. An independent university?

21 A. It's a state university.

22 Q. A state university. Does Oakland University
23 have a medical school?

24 A. It does not.

25 Q. And I think it's pretty clear, but for the

1 record, you're not a medical doctor?

2 A. I am not an M.D., that's right.

3 Q. Now, are any of the faculty members in your
4 medical-physics program, are they medical doctors?

5 A. Well, you'll have to be more specific about
6 what you mean by faculty members.

7 Q. People that are just on the faculty of that
8 particular program, listed, paid for by the school.

9 A. Well, if we take the list of people that are
10 on our faculty, we have a number of M.D.s.

11 Q. But full-time professors --

12 A. Yes.

13 Q. -- in the medical program, are any of them
14 M.D.s?

15 A. Yes.

16 Q. We may have some confusion here. Do you
17 recall at your deposition being asked about the medical-
18 physics program --

19 MR. SUGARMAN: Would you show it to him, please?

20 MR. WATSON: Yes, I'll be happy to.

21 THE WITNESS: Well, I recall the question. It's
22 not necessary to bring the document to me.

23 BY MR. WATSON:

24 Q. Yes, there's just some confusion here.

25 MR. SUGARMAN: Would you show him the transcript,

1 please?

2 JUDGE SMOLEN: Show him the transcript. Whether
3 the witness wants to see it or not, present it to him.

4 (Laughter.)

5 BY MR. WATSON:

6 Q. Dr. Liboff, you're going to have to look at
7 this whether you want to or not.

8 (Laughter.)

9 (Document handed to witness.)

10 Q. I just want to clarify this here.

11 JUDGE SMOLEN: Well, let's have the question out
12 loud, if you want to ask one.

13 MR. WATSON: Well, I was just going to show him
14 where it was, and then I'll ask him the question.

15 JUDGE SMOLEN: All right.

16 (Pause.)

17 THE WITNESS: I'm distinguishing in my answer
18 between those people who are members of the physics
19 department and those who are members of the medical-phy-
20 sics faculty.

21 You asked me in your question, I believe, how many
22 people who are on the medical-physics faculty are M.D.s.

23 We have a physics department in which there are no
24 M.D.s. But the physics department cooperates with both
25 Henry Ford Hospital and William Beaumont Hospital and

1 Sinai Hospital, and there are individuals at these hospi-
2 tals who are radiation oncologists, neurosurgeons, neuro-
3 logists and the like, who are full professors on our
4 faculty. But not the physics faculty.

5 BY MR. WATSON:

6 Q. Okay.

7 A. They are professors in our medical-physics
8 faculty.

9 Q. And do they work full time on the medical-
10 physics faculty?

11 A. No, they do not.

12 Q. Okay. Now, in your testimony, Dr. Liboff, I
13 believe you make reference to doing work for the U.S.
14 Navy and the Sylvania Corporation on magnetic materials.

15 A. Yes. The navy work was initiated in 1948, and
16 the work at Sylvania, I believe -- I'm not sure of the
17 exact date. It was 1950 or '51.

18 Q. All right. Well, I was just going to ask you,
19 did that work involve biological materials?

20 A. Not in any way.

21 Q. Now, I assume, Dr. Liboff, that, given your
22 work and area of research, that you are familiar with the
23 scientific concept of replication?

24 A. Yes.

25 Q. I'm sure this may sound a bit fundamental to

1 you, but I believe you may be the first witness who is
2 talking in this area, so I'm going to ask you a few ques-
3 tions about this just to get the basics down and on the
4 record.

5 JUDGE SMOLEN: You're doing it to educate the
6 judge, which is good.

7 (Laughter.)

8 JUDGE SMOLEN: And other counsel.

9 MR. SUGARMAN: And other counsel, Your Honor.

10 BY MR. WATSON:

11 Q. Dr. Liboff, if laboratory reports an experien-
12 tial result, and then a second laboratory does the same
13 experiment and finds the same result, in basic terms, is
14 that what is known as replication of an experiment?

15 A. That's a very complex question. And I think
16 if you wanted me to explain it I would explain it perhaps
17 differently.

18 So the mere -- let's use replication without
19 quotations. The mere replication of an experiment from
20 one laboratory to the next does not necessarily fulfill
21 the demands of what scientific replication are about.

22 One of the keys, I think, in scientific replica-
23 tion, in the larger sense, has to do with a large pic-
24 ture, not a specific experiment.

25 The problem with specific experiments is that they

1 almost -- it's impossible for one laboratory to replicate
2 another experiment in terms of all the necessary experi-
3 mental parameters.

4 You would have to go down to the time of day and
5 the temperature and the people walking in the street, and
6 a hundred and one parameters that no one knows when they
7 do an experiment are important or not.

8 So the concept of replication is a much more com-
9 plex thing than merely doing an experiment or repeating
10 it.

11 Because I can say I repeated somebody else's work,
12 but this doesn't necessarily mean that I followed the
13 protocol completely.

14 So what people, especially in the biomedical area,
15 do -- because in the biomedical area this is a more
16 important thing usually than in the physics area.

17 What they normally do is that they will spend
18 considerable time giving the methods -- I'm sorry, not
19 the methods. I have a cold, which you probably can see.

20 JUDGE SMOLEN: Don't replicate it over here.

21 (Laughter.)

22 THE WITNESS: I desperately want to give it away
23 though.

24 (Laughter.)

25 THE WITNESS: In the biomedical area especially,

1 the experimentalist laboratory worker will normally, when
2 the data is presented, provide as many details about the
3 way in which the experiment was done, with the hope that
4 somebody in Asbershon [phonetic] or China, whatever, who
5 wishes to replicate it can then look at the original
6 document and proceed to replication.

7 But on the other hand, I have seen articles in
8 which the work "replication" was used in the title, where
9 if you read the article carefully it was obvious that the
10 people who claimed they were replicating the original
11 experiment missed something which to me seemed obvious.
12 So it's a sometimes thing.

13 But where it comes out is in -- where it really
14 becomes much clearer is when there is a body of work and
15 you can add up the pluses and minuses and see the net
16 effect in a larger sense.

17 BY MR. WATSON:

18 Q. I think I understand. Let me see if I can get
19 it a bit clearer for me in practical terms.

20 To truly replicate something you would have to
21 have all of the conditions the same, is that right?

22 A. Yes.

23 Q. Sure. But I think you're also saying then
24 that scientists have some -- they also use the work in
25 general terms sometimes, not with that precise definition

1 in mind, where they basically repeat as close as they can
2 to the experiment.

3 And they sometimes refer to that as replication,
4 although technically it doesn't meet that standard, is
5 that right?

6 A. Well, it becomes almost a matter of advocacy
7 as opposed to truth. Because it depends what side of the
8 fence you're on.

9 If you're in the camp of those that believe that
10 the original effect is true, then you will normally, very
11 often, claim that the failed attempt at replication is
12 not really replication.

13 On the other hand, if you're among those who are
14 trying to argue against an original experiment of some
15 sort, then your experiment, aimed at trying to replicate,
16 quotes, may turn out to be negative. And because of
17 that, this can be used as argument against the original
18 experiment.

19 So some of the thinking that goes into what hap-
20 pens at the beginning of a scientific time when there is
21 a development of new concepts, of new paradigms, or new
22 discoveries, is often laced with arguments which are pro
23 and con, depending on which camp people are in. It's
24 very close to politics.

25 Q. Are there situations where even though there

1 wasn't an exact replication in the terms you first des-
2 cribed, where everything was exactly the same, the exper-
3 iment was done at one time and place, and then another
4 experiment was done at a later time and place, and there
5 might have been some differences known or not known, but
6 you basically still got the same results; and maybe then
7 in a third place you still again got the same result.
8 Has that also happened?

9 A. Yes. I gave an example, as I remember, in my
10 deposition relating to that there's a famous experiment
11 called the calcium efflux experiment, which was initiated
12 by a group of people at Dr. Adey's laboratory in Cali-
13 fornia in 1973 or four, which involved the loss of cal-
14 cium ions from sliced chick brain tissue.

15 And in the course of that experiment there was an
16 efflux of calcium that was observed as a result of apply-
17 ing a specific field.

18 This experiment, when it was done again by another
19 group, it resulted in an influx of calcium

20 Now, coming back to this question of advocacy,
21 what happened there was that some people took the fact
22 that an influx of calcium occurred as opposed to an
23 efflux to argue against the believability, or credibility
24 of the first experiment.

25 There were others, myself included, who felt that

1 if the first experiment was done carefully, and the
2 second experiment was done carefully, then one conclusion
3 that could be drawn is that there were changes in the
4 calcium which might manifest themselves as either influx
5 or efflux.

6 What I'm suggesting is that* one of the things
7 that's happened in the bio-electromagnetics community is
8 that a number of the conclusions that are drawn by the
9 people who do this work is very often analyzed in details
10 which take away from the basic evidence showing that
11 there is an effect, and instead what one finds is that
12 these experiments are held up to criticism, saying, well,
13 we didn't see the efflux, we saw an influx. This is a
14 moot point to me.

15 I'm not sure if that answers your question, but it
16 gets at the question, I think, of to what extent replica-
17 tion occurs in these cases.

18 Q. So what you're saying there in part is, don't
19 look too carefully at the details of the experiment. Is
20 that the message?

21 A. No, no, no, no, no. I would never say that,
22 of course. One has to look as carefully as one can, with
23 as large a magnifying glass as you possibly can muster.

24 What I'm saying is that the conclusions by those
25 who advocate there are no effects will very often take

1 the guise of looking at an experiment and saying that
2 because it didn't go exactly the same way in the second
3 experiment as it did the first time, therefore there must
4 be something wrong with all the experiments; as opposed
5 to the concept that says when you apply a field and you
6 see an effect, whether it's a plus effect or a minus
7 effect, this is indicating of something happening. That
8 something happening is the critical thing.

9 Q. Now, what about people who might argue for
10 some particular effect? Did they do the same thing?

11 A. Yes. There is no question about in looking at
12 particular effects, there's also a spectrum of possibili-
13 ties of results that will also result in differences of
14 opinion in the same way.

15 Q. So they may be advocating that there's a par-
16 ticular effect, and then a follow-up study comes along
17 that doesn't find an effect, and they point to the
18 detailed differences and say, this is not a replication
19 of my study, and therefore you haven't shown that there
20 is no effect? Has that happened?

21 A. You've stated it very well.

22 Q. Now, do attempts at replication, in sort of
23 the broad use of that term as you've described it, some-
24 times reveal flaws in the original experimental design or
25 controls?

1 A. I'm not sure I understand your question. Let
2 me ask it -- let me answer it --

3 Q. Let me try to rephrase it and see if I can be
4 more specific.

5 A. Yes.

6 Q. In the interest of time I'll try to ask better
7 questions.

8 Are there situations where an experimenter may do
9 a piece of research, then a second experimenter may do
10 the research and learn something about the process of
11 doing this research where he might discover that a mis-
12 take was made in the first experiment, in the design of
13 it or the controls?

14 A. That certainly occurs, yes.

15 Q. You learn something doing it the second time
16 sometimes then?

17 A. Not only that, if I can expand on what you're
18 saying, the second time an experiment is done it's usu-
19 ally done better than the first time.

20 I think the sort of experimentalist who claims to
21 replicate an experiment, and then tries not to go beyond
22 merely replication, is in a sense wasting the effort.

23 If a person is trying to replicate something, that
24 person, a scientist normally would try to go beyond the
25 first experiment.

1 So the learning process is more than just finding
2 flaws in the first experiment, but it is refining the
3 experiment to a greater degree.

4 The one who does the experiment first is normally
5 the one who doesn't use all the available skills and
6 techniques, and whatever, because that first person is
7 the one that's breaking the ground.

8 The other individuals, of course, already have the
9 road map provided by the first person, so they can afford
10 to use an Accura instead of a Chevette, or something.

11 Q. And would it be a fair implication from what
12 you're saying that when we have more than just two or
13 three experiments on a particular subject, when we have
14 more than that we're likely to know more about the sub-
15 ject and the association, if any, between what was stu-
16 died and the results?

17 A. Yes, Mr. Watson, this goes to what I mentioned
18 earlier, that in the way science moves you need a body of
19 work to make decisions.

20 Q. Thank you, Dr. Liboff.

21 MR. WATSON: Was that good for a starting point,
22 Your Honor? I'm going to just cut to something else.

23 JUDGE SMOLEN: Off the record for a moment.

24 (Discussion off the record.)

25 JUDGE SMOLEN: All right, back on the record.

1 Go ahead, Mr. Watson.

2 MR. WATSON: Thank you, Your Honor.

3 BY MR. WATSON:

4 Q. Then Dr. Liboff, I'd like to ask you now to
5 help us a little bit with some of the background informa-
6 tion about the fields that you discussed in your
7 testimony.

8 This transmission line would create what are known
9 as 60-Hz sinusoidal fields, is that correct?

10 A. That's correct, yes.

11 Q. And that's S-I-N-U-S-O-I-D-A-L, is that
12 correct?

13 A. Yes.

14 Q. All right. Now, there are also kinds of
15 fields known as pulse fields, is that correct?

16 A. That's correct.

17 Q. Now, this transmission line will have 60-Hz
18 sinusoidal fields rather than pulsed fields, is that
19 correct?

20 A. In principle it will, yes. This is not to say
21 that with improper operation you may have transience or
22 glitches or pulses that the engineers recognize.

23 Q. Now, basically, sinusoidal fields and pulse
24 fields have differences in their characteristics, is that
25 right?

1 A. Yes, they do.

2 Q. Now, would it be accurate to say -- correct me
3 if I'm wrong -- that pulse fields and sinusoidal fields
4 are different at least in one way in the sense that a
5 pulse field will contain many frequencies in it?

6 A. That is an accurate statement.

7 Q. And so some of the fields that are in a pulsed
8 field could be at frequencies that are higher than lower
9 than 60 Hz?

10 A. If it's a pulsed field, there will be a spec-
11 trum of frequencies which are higher than 60 Hz; include
12 frequencies which are higher than 60 Hz.

13 Q. Could they also be lower?

14 A. It depends upon the repetition rate of the
15 pulsed signal. If the pulsed signal was being repeated,
16 not replicated but repeated, say, at 10 Hz, such that it
17 went on and off every tenth of a second, you would also
18 have a frequency that would be dominant in the free ana-
19 lysis that would be of 10 Hz.

20 So you would have a frequency less than 60 Hz
21 also.

22 Q. Okay. What if we had a pulsed field with 60
23 repetitions per second, would that be exactly the same as
24 a 60-Hz field?

25 A. Exactly the same, no.

1 Q. Would there be frequencies higher or lower
2 than 60 Hz in that --

3 A. There would be --

4 Q. -- pulse?

5 A. If it was repeated at 60 Hz, but not at lower
6 frequencies, one would find a 60-Hz frequency, the sinu-
7 soidal frequency, plus a whole host of frequencies that
8 were different than that.

9 Q. When you say different, could you give us some
10 examples on the up and down side?

11 A. I don't know the --

12 Q. A range.

13 A. Well, that would depend upon how much rise
14 time, that is to say how quickly the pulse reaches the
15 maximum and it falls again.

16 The rule of thumb is that the faster the rise time
17 in a pulse the higher the frequency components that will
18 be present.

19 So that the one example that people in the bio-
20 electromagnetics area are very conscious of are the
21 pulsed signals that are supplied by a company called
22 Electro-Biology, Incorporated that's used for various
23 biological applications and medical applications.

24 And I think I gave a number in the deposition. I
25 don't know what it was. It was probably of the order of

1 100 kHz, or something like that.

2 Q. A hundred to 400 kHz for the EBI sound about
3 right?

4 A. That sounds right, yes. They claim for entre-
5 preneurial reasons that they also have frequencies that
6 are higher. But people have looked at that don't find
7 frequencies higher than, let's say, half a millihertz.

8 Q. And we have experiments, would it be fair to
9 say, in this area using both 50 Hz fields and using
10 pulsed fields; is that fair to say?

11 A. If you wanted to make the comparison which I
12 think you -- if I can correct you, perhaps, in terms of
13 your question, what we have are sinusoidal applications
14 and pulsed applications.

15 Because the 60 Hz by itself is not really, it
16 doesn't translate into a comparison. It's the sinusoidal
17 and the pulsed fields.

18 Q. So we have --

19 A. The sinusoidal -- excuse me, Mr. Watson.

20 Q. Excuse me.

21 A. -- include frequencies which include 60 Hz.
22 The sinusoidal fields that you're talking about include
23 fields which have frequencies of 60 Hz. But there are
24 others.

25 Q. Okay. And how would those compare to pulsed

1 fields?

2 A. In what regard?

3 Q. In terms of the frequencies that are present.

4 A. They would bear the same relationship as the
5 discussion we've just had concerning the limitation of a
6 60 Hz sinusoidal wave to a specific frequency, 60 Hz, as
7 compared to a spectrum of frequencies that is included in
8 the pulsed signals.

9 Q. So for example, if I were going to look at an
10 experiment in this area, I'd look down to see what type
11 of field was used -- again, based on what you say, I'd be
12 well-advised to determine whether it's a 60 Hz field as
13 distinguished from the pulsed field before I went
14 further?

15 A. It depends.

16 MR. SUGARMAN: I object to that question, because
17 you said a study or experiment in this area. And I think
18 "in this area" is too broad. I don't know what it means.
19 This area of what? E/MF?

20 MR. WATSON: Well, I thought the witness under-
21 stood --

22 MR. SUGARMAN: Well, he may be making an assump-
23 tion, is my concern. And I think that the question is
24 objectionable, because the witness should be asked the
25 question -- or he doesn't have to make an assumption as

1 to what "this area" means. It's easy enough for you to
2 define what "this area" is.

3 JUDGE SMOLEN: Mr. Watson, would you clarify the
4 question, or maybe you want to restate it.

5 MR. WATSON: I'll clarify it to refer to experi-
6 ments in which something is exposed to 60 Hz fields or
7 pulsed fields.

8 JUDGE SMOLEN: Does the witness understand the
9 question?

10 THE WITNESS: Yes, I do.

11 JUDGE SMOLEN: All right. You may answer it.

12 THE WITNESS: My question to your question was, in
13 what regard. In other words, the difference between the
14 sinusoidal exposure -- let's call it the sinusoidal
15 exposure; this is the term that many experimentalists use
16 -- as opposed to a pulsed exposure, is manifest in the
17 way you've described it.

18 There are obviously signals which basically are
19 totally different in the way you design them. But
20 they're not completely different.

21 Because in both cases they do provide -- for exam-
22 ple, electromagnetic energy that is impinging on the
23 system.

24 If I can just take a minute of the Court's time, I
25 can say that in the microwave area, which I know we're

1 know we're not talking about here, it has been common to
2 compare sinusoidal signals, say at very high frequencies,
3 and pulsed signals.

4 Because the thing which is the most important
5 thing is, say, the total energy absorbed. It doesn't
6 matter as much in these cases at very high frequencies
7 what the shape of the signal is, because what people
8 believe is important is the total amount of energy that's
9 supplied to the biological material being exposed.

10 And the ELF area, in the 60 Hz area, we still
11 don't have a good feeling as to what it is that is the
12 mediator or the interactor of these effects that are
13 seen.

14 And it would be premature to really rule out the
15 possibility that the sine wave and the pulsed wave bear
16 some common denominator that might be comparable, even
17 though it appears as if the sine wave and the pulsed wave
18 appear to be different.

19 They both are electromagnetic, they're both
20 periodic; and given the fact of the periodicity, and the
21 fact that you're dealing with electromagnetic radiation,
22 there is that similarity.

23 Q. Would it also be premature to assume that they
24 have the same properties in terms of their interaction
25 with biological material?

1 A. I would agree with you on that, yes.

2 Q. Dr. Liboff, in your testimony, let me refer
3 you to your expression, I guess, in the opinion that
4 exposure to magnetic fields can result in biological
5 change.

6 MR. SUGARMAN: Would you provide the reference to
7 the testimony, please?

8 MR. WATSON: I think there are probably a lot of
9 them, and he's probably pretty familiar with it.

10 MR. SUGARMAN: Well, I don't think he said "can."

11 MR. WATSON: Well, if you'll let me finish --

12 JUDGE SMOLEN: All right, let's finish the ques-
13 tion.

14 BY MR. WATSON:

15 Q. Dr. Liboff, take a look, for example, at page
16 five, paragraph two, lines one, two, three.

17 A. Yes. I agree with that statement that biolo-
18 gical systems are affected by weak ELF magnetic fields.

19 Q. Okay. And that opinion, would it be fair to
20 say, is based on your understanding of the laboratory
21 evidence?

22 (Pause.)

23 A. What do you mean, sir, by laboratory evidence?
24 Because --

25 Q. I'll be happy to explain. I'm sort of drawing

1 a distinction between laboratory experimental evidence on
2 the one hand and, say, epidemiological studies on the
3 other.

4 A. You mean the totality of laboratory evidence
5 in this research field?

6 Q. Yes.

7 A. Yes, it certainly is built on that.

8 Q. Your opinion is based on that?

9 A. Yes.

10 Q. All right. Now, I believe you also expressed
11 the opinion that exposure to 60-Hz magnetic fields is a
12 medical hazard to humans?

13 A. I said it was a hazard. I didn't use the word
14 "medical." Yes.

15 Q. And would it be fair to say from your testi-
16 mony -- I think you're in the same general area on page
17 five, paragraph two -- that that opinion, that hazard is
18 based on your gradual acceptance of the epidemiological
19 data?

20 A. In part.

21 Q. Well, let me refer you to page five, paragraph
22 two.

23 A. Yes.

24 (Pause.)

25 Yes, I see it.

1 Q. I believe you say there that "this is also a
2 hazard to humans; this opinion is based upon my gradual
3 acceptance of the epidemiologic data, with each new
4 report strengthening the ones before it."

5 A. That is true.

6 Q. Now, just to be clear, are you saying that the
7 laboratory experiments themselves establish a hazard to
8 humans as distinguished from simply a biologic effect?

9 A. Not free-standing.

10 Q. Now, is there a difference basically between
11 showing a biologic effect and showing a health hazard?

12 A. Yes, there is.

13 Q. And again, this may sound basic; but for the
14 record, could you tell us what the difference is?

15 A. One example that's very often given is there
16 are all sorts of biological effects that under simple
17 conditions need not be hazardous.

18 One can take a bit of sugar on your tongue, and
19 providing you're young enough and not overweight and not
20 diabetic, it's not hazardous.

21 Or you can have a biological effect merely by
22 going from an air-conditioned room out into the sun. And
23 in your body you can measure physiological changes that
24 result from this change in the physical background.

25 And again, I don't think anybody would think of

1 this as hazardous under reasonable circumstances.

2 On the other hand, hazard to me reflects a situa-
3 tion where there is some measured level of illness, or in
4 the extreme case death, that might result in a correla-
5 tive way with a specific parameter.

6 There are people who, for example, cannot take
7 sugar on the tongue, and they might respond critically to
8 an effect like this. And that would be a hazard for
9 them.

10 There are also people who, for one reason or
11 another, might not be able to take a very severe change
12 in temperature. For those people that might also be a
13 hazard.

14 Q. Now, I believe we've also talked, just made
15 reference to, epidemiology. And I would ask you, would
16 it be fair to describe that field as the study of pat-
17 terns of disease in populations?

18 A. Quite frankly, I've never thought of a defini-
19 tion before. It sounds right, but you're the first one
20 that's ever asked me about that.

21 Q. Okay.

22 A. I guess it could be used for things other
23 than disease though, perhaps.

24 (Pause.)

25 I'm not sure.

1 Q. That's fine. Dr. Liboff, would it be a fair
2 characterization to say that you don't know a mechanism
3 by which E/MF will create biological effects, but you've
4 put forth an hypothesis for that?

5 A. That's how I normally talk about the modeling
6 that I've done. It's hypothetical.

7 Q. And I believe you have said in your testimony
8 on page one, I think down at the last line and going over
9 to page two, that under the known physical mechanisms of
10 interaction between energy and biological material,
11 energy of 60-Hz fields is simply too small to invoke any
12 mechanism. Is that a correct statement?

13 JUDGE SMOLEN: Where are you reading?

14 MR. WATSON: I'm not reading it. In fact, we can
15 just go right to his language.

16 JUDGE SMOLEN: I thought you were reading from his
17 testimony.

18 MR. WATSON: Well, no, I wasn't, actually, Your
19 Honor.

20 JUDGE SMOLEN: Oh, okay.

21 MR. WATSON: But I now have it. I was simply
22 referring to an area and sort of attempting to --

23 JUDGE SMOLEN: I thought you were referencing it.
24 Go ahead.

25

1 BY MR. WATSON:

2 Q. Maybe we can simplify this. If we could go to
3 the bottom of the first page, Dr. Liboff. And you see
4 the last line, it says power input. Do you find that?

5 A. Yes, I remember the line well.

6 Q. Okay. And are you referring there -- well,
7 could you read the line? Let's get that in writing, and
8 then I'll ask the question.

9 A. Out of context then, "The power input of these
10 signals is simply too small in invoke any conceivable
11 physical mechanism."

12 Q. Okay. Now I'd like to now try to see if we
13 can put that into context and ask you a couple questions
14 about that.

15 One is, is that one of the reasons that has caused
16 you to put forth an hypothesis for a mechanism?

17 A. Is the fact that the signal is too small to be
18 thought of as a reasonable -- I'm not sure that was the
19 motivation. But the lack of an available mechanism was
20 what motivated me, yes.

21 Q. So correct me if I'm mischaracterizing this.
22 I'm trying to understand it. So we start out with the
23 power input of the signals too small to invoke a physical
24 mechanism, you need to come up with a hypothesis to
25 explain?

1 A. Yes. And as I explained it, if I can just
2 amplify a little bit, that's the use of my word "weak."
3 The word "weak" in physics has a rather specific meaning.
4 It is a signal that is so small that one normally cannot
5 find a good physical reason why it works.

6 And it need not be in biology, because there are
7 weak signals in many areas of physics.

8 And when I characterize a signal as weak, what I'm
9 suggesting is that these signals are so small as to
10 essentially lack any available physical mechanism to
11 understand why they should be efficacious.

12 Q. I understand. Now, would it also be accurate
13 to say that no one has identified a mechanism? And by
14 mechanism here I mean an established mechanism as distin-
15 guished from a hypothesis.

16 A. Well, you're asking the wrong man. Because I
17 have a mechanism, and I'm an advocate of it. And many
18 people are basically in agreement that the mechanism, or
19 the hypothesis that I've put forward probably at the
20 present time represents the best approach to these
21 problems.

22 Especially in view of what's happened in the last
23 few years. Because my original mechanism -- I'm sorry.
24 My original hypothesis, which was put forward in 1984 or
25 1985, has been acted upon by others, namely, people in

1 the Soviet Union and around the world, in ways that indi-
2 cate that they're building upon the basic concept.

3 And so people such as Chiabrera in Italy, and
4 Lednev in the Soviet Union, and others, are using the
5 same basic idea to build towards a model that hopefully
6 will be forthcoming in maybe a year or two.

7 Q. I believe you have in other circumstances
8 described your ion cyclotron resonance idea as a hypothe-
9 sis and distinguished it from a theory, is that correct?

10 A. Yes. The attempt presently is to build a
11 theory out of that hypothesis.

12 Q. So the basic order is you go from hypothesis
13 to theory, and beyond?

14 A. In this particular case it's the path that you
15 follow. And as I say, there are now a number of people
16 who are sort of coming into this area with models and
17 mechanisms that they hope will be the crowning touch on a
18 theory.

19 And if it's done correctly and after a decade or
20 whatever, people have no real objections, and there is a
21 consensus, then it would be called a theory.

22 Q. Now, is it fair to say that a hypothesis is an
23 idea around which you design experiments to test whether
24 that hypothesis is correct or not?

25 A. It's one statement that I would use to discuss

1 a hypothesis. It need not be an experiment though. You
2 might have hypotheses which are theoretical.

3 Q. Is this one both experimental and theoretical?

4 A. Yes, this definitely has experimental
5 ramifications. But there are also many theoretical rami-
6 fications that might not easily lend themselves to labor-
7 atory work.

8 Q. Now, I believe when you originally introduced
9 this hypothesis you called it a wild concept?

10 A. You may have something in front of you.
11 That's one of my favorite adjectives, so it's likely that
12 I did call my own work wild, yes.

13 (Laughter.)

14 A. It's possible. I don't remember myself quot-
15 ing -- I don't remember saying that, but it's very
16 likely.

17 Where did I say that?

18 Q. You said it in Australia.

19 A. Okay.

20 Q. You testified in Australia.

21 A. That was so far away that I thought nobody in
22 this country would hear me.

23 (Laughter.)

24 A. Wild in the sense -- since you asked the
25 question.

1 Q. Yes, go ahead.

2 A. Wild in the sense that it was a radical idea.

3 MR. SUGARMAN: Was what?

4 THE WITNESS: A radical idea.

5 BY MR. WATSON:

6 Q. That idea has engendered some, that hypothesis
7 has engendered some debate in the scientific community.
8 Would that be fair to say?

9 A. Yes, it has.

10 Q. And people have published peer-reviewed arti-
11 cles, for example, stating that this hypothesis was mech-
12 anically impossible?

13 A. Yes, they have.

14 (Pause.)

15 I must add though that in my original publication
16 I pointed that out.

17 Q. Now, you've personally conducted quite a few
18 experiments on your ICR hypothesis, is that correct?

19 A. That's correct.

20 Q. ICR standing for ion cyclotron resonance.

21 A. Yes.

22 Q. Now, you did some experiments on rats that
23 were designed around the ICR hypothesis?

24 A. That's correct.

25 Q. And there was, at least on the rat study, an

1 attempt to reproduce that result at least once by another
2 researcher?

3 A. I think in the deposition this was talked
4 about. And I believe I said -- correct me if I'm wrong,
5 but I believe I said something to the effect that this
6 was not a published experiment, but that a report had
7 appeared through the grapevine that somebody had attempt-
8 ed to replicate the experiment and it had failed.

9 Q. Okay.

10 A. Now, I know the group that had tried this.
11 It's a group at the University of Rochester. I wasn't
12 aware that they had completed the work though.

13 Q. Okay. Is this a pretty good group of
14 researchers?

15 (Pause.)

16 A. One of the lead scientists from that group,
17 prior to initiating the experiments, called me with some
18 questions that I felt indicated a lack of understanding
19 as to how to provide the magnetic fields.

20 I suspect that these people are good at animal
21 handling and maintenance, and even the animal behavioral
22 characteristics that have to be studied in this type of
23 experiment.

24 But where I felt that they might not have been up
25 to snuff is in the area of where they applied and

1 maintained and understood the magnetic fields.

2 Q. Did you, after that initial conversation, have
3 a chance to look at how they actually did it?

4 A. No, I did not. They never contacted me again.
5 And as I say, I've never seen a report of theirs in the
6 literature or heard any report that has taken place.

7 They've been doing this work, I think, for three
8 years. We did the work in approximately six months or
9 something like that.

10 So I don't understand what the delay is. But
11 whatever it is.

12 Q. Now, I believe you also did some experiments
13 on diatoms?

14 A. I took part in a larger group that was working
15 with diatoms, yes.

16 Q. And a diatom is a single-celled organism, is
17 that right?

18 A. It's a single-cell organism that creeps along
19 at the bottom of the pond or on a rock, or whatever; and
20 it's a microscopic, single-celled organism, yes.

21 Q. Where do you find these things? Did you say
22 ponds, the bottoms of ponds?

23 A. They're found in both fresh water and seawater
24 bodies of water, and they're equivalent to a more common
25 word that you hear often, plankton. The things that

1 whales eat by the droves.

2 And apparently, and I have to rely upon colleagues
3 who have told me this, plankton come in two varieties,
4 one of which are swimming varieties and the other are
5 walking varieties.

6 And the type that we used were the walking
7 variety. I think it's called benthic. And these diatoms
8 look like a coffee bean, so they have the word coffee-
9 ophonous [phonetic] attached to their latin name.

10 Q. We're sort of into molecular sushi at this
11 point, I guess.

12 A. What did you say, sir?

13 Q. I said we're sort of into molecular sushi at
14 this point.

15 Now, have the researchers at the University of
16 Rochester attempted to repeat your diatom experiments?

17 A. I know that they're doing the work. I'm not
18 sure what level of completion they are at. There was, I
19 think, one abstract presented on this work. I'm not sure
20 of the amount of -- whether they have gone to publication
21 with this yet.

22 Q. And did you hear at some point that they were
23 not able to repeat the same results?

24 A. Yes, I heard that.

25 Q. Now, Dr. Parkinson, at the University of

1 Michigan, has also attempted to repeat your diatom
2 experiments?

3 A. Yes, he has.

4 Q. And was he able to achieve the same basic
5 results?

6 A. He was not. I'm much more familiar with his
7 work, because he's closer to my university, he's at the
8 University of Michigan, than the Rochester group. And
9 also, he tends to be more open with his results than the
10 Rochester group is.

11 And Dr. Parkinson has not been able to replicate
12 the work. But there is some question as to whether or
13 not the same conditions applied.

14 In other words, getting back to what we discussed
15 on replication an hour ago or so, there is a difference
16 in what our laboratory believes was done by us and what
17 Dr. Parkinson believes was done in his laboratory in
18 terms of how we handled these diatoms.

19 Q. Was there also some question about the source
20 of the diatoms, whether they were different types of
21 diatoms?

22 A. I'll have to defer on that. I know that there
23 has been an argument -- not an argument, but discussion,
24 as to different sources, different repositories of
25 diatoms.

1 But I believe that at least some of the work that
2 entails replication may have used the same source of
3 diatoms.

4 Q. Which would implicitly mean that some of it
5 may not?

6 A. Some of --

7 Q. Some of the work may not have used the same
8 source of diatoms?

9 A. That's possible.

10 Q. Now, I believe you also conducted research on
11 human lymphocytes?

12 A. Yes.

13 Q. Now, there have been some attempts to repro-
14 duce those results?

15 A. Yes, there have.

16 Q. And was there a group in London that did this?

17 A. Yes.

18 Q. And did they get the same basic results as you
19 did?

20 A. No, they did not.

21 Q. And a group at the University of Western
22 Ontario, did they also attempt to get the same results?

23 A. They're also in London. London, Ontario as
24 opposed to London, England.

25 Q. Oh, I see.

1 A. Yes. Yes, they --

2 Q. So this is the same group?

3 A. No, no, there are two groups.

4 Q. Oh, there are two groups?

5 A. I should be very specific.

6 Q. All right.

7 A. There are two groups, both of which came from
8 London, different Londons, that have not been able to
9 replicate the lymphocyte work.

10 Q. The first one is London, England?

11 A. London, England.

12 Q. And the second one is London --

13 A. London, Ontario.

14 Q. Ontario, okay.

15 A. Yes.

16 Q. Now, there was a group at Berkeley that also
17 looked at this issue and attempted to repeat these
18 results?

19 A. Well, this goes to the definition of
20 replication. They did not replicate -- did not attempt
21 to replicate our experiment exactly, because they didn't
22 use the same experimental apparatus.

23 However, giving that group the benefit of the
24 doubt in terms of their being able to design proper expo-
25 sure coils, in effect they did manage to replicate our

1 work on the cyclotron resonance.

2 This was mentioned in an article in Science, which
3 unfortunately I don't have, which occurred some time ago,
4 in which it was pointed out that under the cyclotron
5 resonance conditions they also saw large swings in cal-
6 cium efflux, which was what we reported.

7 Subsequently this was also observed by the group
8 in Berkeley. And I've had occasion to talk to both indi-
9 viduals who worked on that group, and one sent me a let-
10 ter in which he congratulated me, saying that they had
11 seen the same effects.

12 Q. And was Dr. Walleczek --

13 A. Dr. Walleczek --

14 Q. -- one of the researchers at Berkeley?

15 A. Dr. Walleczek was a visiting professor from
16 the Max Planck Institute who went to Berkeley for a year
17 to work with a Dr. Liburdy in Dr. Liburdy's lab.

18 And Dr. Walleczek, who has in essence replicated
19 my lymphocyte study, is now, I think, at Dr. Adey's
20 laboratory in London, England.

21 Q. Now, when Dr. Walleczek was at Berkeley and
22 attempted to replicate your study, did his group add
23 another variable called mitogenic stimulation?

24 A. Yes. They added a mitogen; which mitogens
25 come in a number of varieties. But the specific one they

1 added is called con-A, C-O-N capital A. And when they
2 did that, they obtained very consistent results.

3 This differed from my experiment, if I can add, in
4 the sense that we did not add the con-A to our results,
5 to our experiments.

6 And so the original disparity between the two
7 laboratories -- which was interesting, but it was not, in
8 my eyes, a critical disparity, simply because of the fact
9 that what we had was resonance fields applied to human
10 lymphocytes, resulting in very large swings in calcium in
11 the lymphocytes in both cases.

12 In the one case, however, I did not add the con-A,
13 and in the other case, they did add the con-A.

14 We now have resolved that difference, Walleczek
15 and I, with the likelihood that I may have used a larger
16 density of cells, such that the cells were what could be
17 self-stimulating by virtue of contact, which would have
18 been equivalent to adding an external mitogen.

19 So this is our current explanation. It need not
20 be the final explanation, but it is interesting that in
21 one case is using a stimulant and the other case is not
22 using a stimulant, we both have seen this effect.

23 Q. Could you just for the record define
24 "mitogen"?

25 A. Mitogen is a -- there are a number of

1 chemicals which had been observed to act so as to cause,
2 in this case, human lymphocytes to proliferate, to repro-
3 duce, to basically become larger in number.

4 When one removes lymphocytes from the human, in
5 humans, and puts these into a cell culture, or a system
6 where you're trying to observe effects, the thing about
7 lymphocytes as opposed to many other cells is that they
8 will not reproduce if just left in a Petri dish for days.

9 In fact, I think experimenters usually think of
10 the lifetime of the lymphocytes they take from humans as
11 being maybe a week, or something like that.

12 However, it was found twenty or thirty years ago
13 that there are substances that can be added to human
14 lymphocytes in culture in a laboratory which would effec-
15 tively make these cells reproduce.

16 So in mitogens, they're called mitogens because
17 what they are doing is stimulating mitogenesis; the
18 splitting of cells.

19 Q. Do you know how they cause that to occur?

20 A. Theories are -- and I don't think that these
21 are consistently understood. But the basic idea is that
22 the mitogen couples to what is called a receptor on the
23 surface of a cell which may act to move calcium into the
24 cell in such a way as to trigger the mechanism of
25 mitogenesis.

1 It's very well known in all sorts of different
2 cells in culture that accompanying the process of cell
3 proliferation, or cell division, there apparently is a
4 calcium pulse that is present.

5 And it's interesting that it occurs even in human
6 fertilization or animal fertilization when the ovum is
7 penetrated by a sperm cell, the fertilization process, in
8 a way, does not necessarily begin when the sperm cell
9 enters, but when that calcium signal develops.

10 The calcium signal develops sometime after the
11 sperm cell enters. But once that occurs, then the system
12 is on its way towards division, or the rest of the deve-
13 lopmental process.

14 If you look at other cells which are not neces-
15 sarily ova, such as fibroblasts or epithelial cells, or
16 cells of almost any variety, you'll find that there is
17 similarly a calcium pulse that has to be applied.

18 So in some way, the mitogen that's added tickles
19 this calcium pulse into occurring.

20 That's, I think, conventional wisdom. I don't
21 know that I necessarily believe that. I have no other
22 explanation, but that's what I'm told.

23 Q. Now, just to make sure I understand that, did
24 Dr. Walleczek see results with fields when he did not use
25 the mitogen? Did he have the same results as you did

1 when he didn't use the mitogens?

2 A. He did not. He needed the mitogen in order to
3 see the cyclotron resonance effect.

4 Q. Now, you also conducted some research on
5 embryonic chicks?

6 A. Yes, chicks.

7 Q. And there hasn't been any attempt to repeat
8 those results that you're aware of?

9 A. Not under cyclotron resonance conditions there
10 haven't, if that's what you mean.

11 Q. Yes, I'm asking --

12 A. Yes.

13 Q. -- these in the context --

14 A. Yes. There have been no attempts yet made.

15 Q. And would the same be true for your research
16 on rabbits?

17 A. No -- well, there's a problem with talking
18 about the replication of work in connection with the
19 rabbits.

20 The rabbit work that was done was done as part of
21 an entrepreneurial situation where a company that we
22 licensed patents based upon cyclotron resonance were able
23 to move towards a device that would be used for repairing
24 bone.

25 And in the course of doing those experiments --

1 one of the experiments done was on rabbit, in addition to
2 many other experiments which this company has not
3 published.

4 It is my understanding, although I don't have
5 paper proof of this, that those rabbit experiments had
6 been done in a number of other institutions.

7 Q. Okay. Now, referring to this company, is that
8 the one in Phoenix?

9 A. Yes.

10 Q. Okay. And would it be fair to say that you're
11 not familiar with the details of that company's
12 experiments?

13 A. Well, they come in two classes. Some of the
14 experiments I was involved in doing, in other cases they
15 do these experiments in a proprietary way and myself and
16 my colleagues have not been invited in.

17 Q. Now, you also conducted some experiments on
18 yeast to test the ion cyclotron resonance theory; or
19 hypothesis, excuse me?

20 (Pause.)

21 A. Yes, we have public -- we have not published
22 anything on the yeast. And I'm loath to say anything
23 more about those. Just to say that we have worked on
24 these.

25 Q. And you're planning on publishing something?

1 A. As a matter of fact, we have a document which
2 is circulating, yes.

3 Q. And you don't want to announce it here?

4 A. Well, there are three authors, and the problem
5 is --

6 Q. I'm not pressing you.

7 A. -- that Dr. McCloud, Dr. Smith and I don't
8 always see eye to eye. And so we'd have to adjust the
9 document among ourselves before we release it.

10 Q. I don't think we'll interfere with that
11 science.

12 Now, I believe you make reference in your testi-
13 mony to some recent research you've conducted on human
14 lymphoma cells?

15 A. Yes.

16 Q. And that's only been presented in abstract
17 form at this point?

18 A. It's been presented in one abstract already at
19 a meeting of the BRAGS, which is Bioelectrical Repair and
20 Growth Society. The meeting was just held, literally,
21 only a few weeks ago in Phoenix.

22 I have a second abstract dealing with the human
23 lymphoma cells which is due to appear in -- which is
24 going to take place in Milwaukee.

25 And when the deposition was taken I gave a copy of

1 this abstract to Mr. Smith. I'm not quite sure whether
2 or not the abstract had been accepted by the group to
3 whom I had sent the abstract.

4 Q. And have any other researchers yet attempted
5 to repeat the results of your lymphoma studies?

6 A. Well, --

7 Q. Well, let me rephrase that. Somebody might
8 have started, etcetera. So let me ask it this way.

9 Have any other researchers published any results
10 of any studies dealing with an attempt to repeat your
11 work --

12 A. In the cyclotron resonance area, --

13 Q. -- in the cyclotron resonance area?

14 A. -- no.

15 Q. Okay.

16 A. People have worked with lymphomas though
17 before.

18 Q. Right.

19 Now, you referred to some work by Reva Goodman in
20 your testimony.

21 A. Yes, I did.

22 Q. And that was research on protein synthesis?

23 A. It was research on protein synthesis as evi-
24 dence directly, in direction conditions. But also on
25 RNA stimulation. So she's done this a number of

1 different ways.

2 Q. Now, would it be fair to say, or maybe you've
3 said it in the past, that you're not an expert in the
4 type of work that Dr. Goodman does?

5 A. Oh, I would say that, definitely, yes.

6 Q. And do you know if her research has been rep-
7 licated in other labs, or the results have been repro-
8 duced in other labs?

9 (Pause.)

10 A. To the best of my knowledge, I don't think
11 that claim has been made yet. I don't think that repli-
12 cation has occurred elsewhere.

13 Q. Okay.

14 A. But there, if I can interrupt, sir, in looking
15 at Reva Goodman's work, what she has done -- let me say
16 it this way. I first heard her present her work in 1981.
17 Now, at that time she was working with insect salivary
18 gland and using pulses.

19 Now, since that time, Dr. Goodman has gone on to
20 human cells and has looked at three or four different
21 types of RNA expression under different types of fields.

22 She has done, in a sense, perhaps five or six
23 experiments over the course of the time between 1981 or
24 two and the present.

25 Also, there is a consensus in the community that

1 although her work has not yet been replicated, there is a
2 consensus, I think, that says that her work is by and
3 large accepted by -- and perhaps it's the people I speak
4 to.

5 But the sense I have in speaking to people is that
6 it's assumed that what Reva is seeing, what Dr. Goodman
7 is seeing, are effects that are consistent. She sees
8 consistent effects over these years.

9 Q. Now, I believe we talked earlier about calcium
10 efflux research?

11 A. Yes.

12 Q. And you referred to some work on calcium
13 efflux in your testimony. And I believe you cited a
14 Baywyn experiment.

15 A. Yes. The famous paper was by Baywyn, Kazmarek
16 and Adey. That's K-A-Z-M-A-R-E-K, and Adey, A-D-E-Y.
17 And that was published, I believe, in 1974.

18 Q. Now, in basic terms, would it be fair to say
19 that that's an experiment where researchers had some type
20 of control group, and the exposed group they exposed to
21 material, they exposed first to radioactive calcium, and
22 then to E/MF, and they compared how much calcium leaked
23 out of the tissues?

24 A. I'm not sure the way you said it is -- you're
25 stating it in a way that it -- perhaps I can expand and

1 see if what --

2 Q. Yes, I'm just trying to get down to the basics
3 in what they were doing.

4 A. If I can explain. What was done was to take
5 chick brain and profuse the chick brain with calcium 45,
6 so as to have calcium 45 move into the tissue.

7 And then divide slices of this chick brain, which
8 is radioactively labeled, into experimentals and con-
9 trols, into two groups.

10 And then the experimentals were exposed to this
11 whatever field they were using, and the controls were
12 not; and then comparisons made as to how much of the
13 radioactive calcium had essentially leaked into the
14 environment.

15 Q. All right, thank you. That makes it clear.

16 Now, did Dr. Carl Blackman basically try to repro-
17 duce this experiment?

18 A. That's putting it mildly. What people accused
19 Carl Blackman of doing is making a cottage industry of
20 that experiment. He did it for nine or ten years, or
21 something like that.

22 Q. I was at a meeting recently where somebody
23 asked him that at lunch.

24 A. Whether there was a cottage industry?

25 Q. Yes.

1 (Laughter.)

2 Q. Now, is he the one that you were referring to
3 earlier that produced the result that rather than less
4 calcium leaking out he had more calcium leaking out?

5 A. I think this has been shown in a number of
6 different laboratories. And Carl certainly had experi-
7 mental results showing this. I think even in Adey's
8 laboratory they saw opposite results.

9 Q. Okay. And the Rochester group tried this too,
10 didn't they?

11 A. Well, conversations I had with the Rochester
12 group about this proved to be difficult to understand,
13 because they had convinced me at one point, if I can just
14 respond to your question in a way that is very brutal,
15 brutally frank, they had convinced me that there was a
16 technique in the way the brain was being sliced so that
17 there was something different about the controls than the
18 experimentals.

19 When I heard this, I heard this from Dr. Miller.
20 And I asked him if he was -- Dr. Morton Miller. And I
21 specifically asked him, are you sure of this; and he
22 said, yes, we are.

23 Anyway, I went back and I spoke to Dr. Blackman.
24 And Dr. Blackman told me that he had been trying to tell
25 Dr. Miller that this was never the case; that somehow

1 this became ingrained into the social fabric of this type
2 of experiment, and that of course they randomized the way
3 they took the brain slices.

4 This led me to think that whatever approach that
5 was taken by this Rochester group, they somehow had less
6 than a total grasp as to what was going on.

7 And so when you asked me earlier about their
8 attempts at replication of diatoms and lymphocytes, for
9 the most -- and the rat experiment; their lack of repli-
10 cation in a way, to my mind, reflects a way of doing
11 science that is not the way I do it.

12 That's brutally frank, I guess.

13 Q. Are you suggesting that perhaps they were
14 motivated to produce a particular result or to question
15 somebody else's result?

16 A. That's too pat an explanation. I don't think
17 people -- there's nothing malicious or malevolent
18 involved here. I just think it's a way of doing science.

19 I can think of many instances when I've had a
20 negative opinion about something, and when I do the
21 experiment I'm surprised if the answer comes out the
22 other way.

23 And it takes a certain fortitude, inner fortitude,
24 a certain strength to realize that your prior thinking
25 was too narrow.

1 And for one reason or another, that group has
2 never made that crossing. They have never been able to
3 appreciate that there are subtleties that they are not
4 measuring.

5 Q. Well, these studies involving calcium efflux,
6 would it be fair to say that it would be difficult to
7 extrapolate these directly to humans?

8 A. That's a very good question.

9 (Pause.)

10 Let me answer you this way. I certainly could not
11 make the extrapolation.

12 Q. Fair enough.

13 A. Okay.

14 Q. Now, I believe you did some experimental
15 research that you referred to in your report regarding
16 DNA synthesis.

17 A. That's correct.

18 Q. Now, would it be accurate to say that the
19 results that you found had not been reproduced?

20 A. No, that's not true.

21 Q. So they have?

22 A. Yes, Takahashi did that.

23 Q. Okay.

24 A. Published in experentio.

25 Q. Okay.

1 A. That report has -- that publication of mine
2 has been cited literally hundreds of times.

3 Q. Okay.

4 A. It's an accepted fact. It is now also accep-
5 ted by virtue of the fact that it was the first experi-
6 ment to indicate that there was more than a Faraday
7 effect possibly involved, but that the magnetic component
8 might play a role in these effects.

9 Q. What were the fields that you used in your
10 experiment?

11 A. They were high. They were -- I think that
12 they were -- the lowest field that I used was of the
13 order of a few hundred mG, and the largest fields may
14 have been as high as 16 G.

15 Q. Okay.

16 A. So they covered a much larger range of field
17 strength than I currently work with.

18 Q. And what were the frequencies?

19 A. The frequencies covered the range 15 hertz to
20 4,000 hertz.

21 Q. Were they sinusoidal, or something else, in
22 your experiment?

23 A. They were almost 90 percent sinusoidal. We
24 did do some experiments involving pulsed signals,
25 however.

1 Q. All right. Now, this Takahashi paper, did
2 that one use pulse fields?

3 A. That used pulse fields.

4 Q. Now, Dr. Liboff, I believe you were talking
5 earlier about, I guess, objectivity and subjectivity in
6 looking at research reports.

7 A. Would you repeat that question?

8 Q. You were talking about objectivity and sub-
9 jectivity in examining research results.

10 MR. SUGARMAN: I object. I don't remember him
11 talking --

12 THE WITNESS: I didn't --

13 MR. SUGARMAN: -- about objectivity and
14 subjectivity.

15 JUDGE SMOLEN: Well, the witness answered that he
16 didn't.

17 THE WITNESS: I did not remember in those words, I
18 don't think.

19 BY MR. WATSON:

20 Q. Let me refer you to page three of Protestant's
21 Statement No. 2.

22 (Pause.)

23 You see in the middle paragraph there, it begins
24 with, "My opinion," and ends with the word
25 "correlations"?

1 A. In the middle paragraph? How far down?

2 Q. Well, I'm just asking you to look at that
3 paragraph talking about --

4 A. Yes.

5 Q. -- non-scientific evaluations, hysterical non-
6 scientific evaluations, etcetera. Do you see the general
7 subject matter?

8 A. Yes.

9 Q. Okay. I'm just trying to understand that
10 area.

11 Let me ask you this. When we discuss your own
12 research, would it be fair to say that you may be some-
13 what subjective in your interpretation of the possible
14 implications of that research?

15 A. I don't think so. Everybody who looks at his
16 own research to an extent is subjective, in the sense
17 that it's a position that you're arguing on your own
18 behalf.

19 On the other hand, if you're in science long
20 enough, you can sort of separate out the wheat from the
21 chaff, as it were, and put your own work into context.

22 As I said earlier, when I first suggested the
23 cyclotron resonance hypothesis, I think I gave four
24 explanations as to why it was problematic.

25 In reviews of work that I have done for journals

1 and the like, including BEMS, one of my arguments is that
2 it's up to a scientist to not only present work that is
3 new and exciting, perhaps, but also to give the reader a
4 sort of a pathway through the different flaws and prob-
5 lems that may exist. I've always tried to do that.

6 Q. Have you said, taken a position that in evalu-
7 ating scientific research, anything that is not totally
8 objective must be considered non-scientific?

9 A. I don't remember saying that. I might have
10 said it. Where is that said?

11 Q. I think we may have a transcript error. But
12 in fairness, I'm going to show you this statement.

13 A. Everything that is totally non-objective?

14 Q. Well, take a look at this. I'll refer you to,
15 start at seventeen.

16 (Document handed to witness.)

17 That's where your answer starts. The question
18 starts at eleven. Read as much of it as you like.

19 (Witness perusing document.)

20 A. It's the opposite way. You turned it around.
21 What I said --

22 Q. No, I don't think I -- excuse me. I don't
23 think I turned it around. I'm just asking is this --

24 A. May I read what I said?

25 Q. Sure. Is it correctly transcribed? That's

1 what I'm asking.

2 (Witness perusing document.)

3 Look at line 20, and the word "subjective."

4 A. No, it doesn't look like anything I said.

5 What you're saying, which is written here, is that any-
6 thing which is not totally subjective has to be consi-
7 dered non-scientific. That doesn't make any sense.

8 Q. Right.

9 A. But the first sentence is the important one.
10 That's the critical one. It says, "I think it's a non-
11 scientific evaluation," in response to a question asked,
12 "because it obviously reflects an interest which is not
13 totally objective."

14 So if you have an interest in something which is
15 not objective, that means it's non-scientific.

16 Q. let me ask you, on the next sentence, --

17 A. Yes.

18 Q. -- so we get this straight. And there will be
19 a chance to make corrections, I'm sure. But in the sen-
20 tence it reads, "Anything which is not totally subjective
21 has to be considered non-scientific."

22 Would it more accurately reflect your view if the
23 word "subjective" was changed to "objective"?

24 A. That's a strong --

25 MR. SUGARMAN: Your Honor, I'm going to object to

1 this, questioning him on a transcript that we reserve the
2 right to read and sign.

3 He hasn't seen it. It's been delivered to the
4 opposing party, but not to us. And now he's being asked
5 to take a statement that he's already said is not what he
6 said in his deposition, and reconstruct it and ask him if
7 he agrees with it.

8 JUDGE SMOLEN: Even without the deposition, the
9 question could be posed. And I think it was posed.

10 MR. WATSON: And I'm not posing it as a transcript
11 correction, I'm just trying to show him the material. I
12 could take the transcript away and simply ask him, would
13 you agree with the following statement, and I would stick
14 the work "objective" in there. And I'll be happy to do
15 that.

16 JUDGE SMOLEN: I think you did that.

17 MR. WATSON: I think I did.

18 MR. SUGARMAN: I have no problem with his doing it
19 that way.

20 JUDGE SMOLEN: I think he did.

21 MR. SUGARMAN: Yes. My point is that in using the
22 transcript, the implication is created that somehow the
23 transcript, that Dr. Liboff made the statement which
24 Mr. Watson is asking him about by way of correction
25 previously.

1 And I don't want there to be any implication that
2 he ever made that statement, even as corrected.

3 MR. WATSON: Actually, I'm not -- in fact, quite
4 the opposite. I'm suggesting that there's probably a
5 transcript error, and I'm trying to find out what his
6 real opinion is. I'm not suggesting that he made this
7 statement in the first place at all.

8 JUDGE SMOLEN: Let me say this to both counsel,
9 that if this were part of the record in this case, the
10 administrative law judge, and anyone who would be reading
11 this record, could take this statement as it appears in
12 the record as the statement of this witness, unless and
13 until someone, within the rules, would file an appropri-
14 ate motion to correct the transcript.

15 MR. SUGARMAN: But that's different.

16 JUDGE SMOLEN: That would be if it were in the
17 record of the case. This is not in the record of the
18 case, this is a deposition.

19 MR. WATSON: Right.

20 JUDGE SMOLEN: And Mr. Watson has said that
21 there's really no disagreement. He wants to ask that
22 question, or whatever other question he wants to, without
23 reference to this deposition.

24 Is that correct, Mr. Watson?

25 MR. WATSON: Yes, Your Honor.

1 MR. SUGARMAN: And I have no objection to his
2 doing that.

3 JUDGE SMOLEN: Well, let's take it away from the
4 witness, if you want to, and ask the question, and let's
5 go on.

6 (Document handed to Counsel Watson.)

7 BY MR. WATSON:

8 Q. Dr. Liboff, would you agree with the following
9 statement: Anything which is not totally objective has
10 to be considered non-scientific.

11 A. Not completely. Because even though I a
12 moment ago said that there is a need for objectivity, and
13 I've tried to achieve that in my work in science, it's
14 impossible to achieve in a way that makes it totally
15 applicable all the time.

16 So one has to use a sort of a gray scale and hope
17 that people are more objective than they are subjective
18 when they do their work.

19 The question also goes to the heart of what sort
20 of subjectivity are you talking about. If there's sub-
21 jectivity for gain, or there's subjectivity for self-
22 advancement, or there's subjectivity just because one is
23 stubborn and doesn't want to give up the principle; in a
24 way, all of these are somewhat different.

25 I've argued scientific matters with people who

1 have held their opinions because once they gave their
2 opinions they didn't want to be made fools of and had to
3 retract their ideas.

4 On the other hand, it's different to argue with
5 somebody who is in the employ of somebody else and holds
6 the opinion because he knows that's where his bread is
7 buttered.

8 So subjectivity has a different meaning depending
9 upon how you're going to talk about it.

10 Q. And would it be fair to say, in your view,
11 that it's hard to know as an individual scientist, or
12 whatever field, whether you're truly being objective,
13 because you're the judge of your own objectivity?

14 A. I would agree with that, yes.

15 Q. Now, I believe that you referred to an article
16 that you published in Science where you set forth your
17 theory on ICR?

18 A. No, it wasn't published in Science.

19 Q. Oh, I'm sorry. Where was that published?

20 A. Journal of Biological Physics. It also went
21 into a book which covered a conference I attended in
22 Sicily.

23 Q. Now, let's go back to your DNA synthesis.
24 That article, was that one published in Science?

25 A. Yes.

1 Q. Now, in that article, did you say there that
2 changes in E/MF, at least in the earth's geomagnetic
3 field, could explain how species die off?

4 A. Yes. I said something to that account. It
5 amounted to a paragraph at the very end of a paper.

6 (Pause.)

7 Would you like me to explain that?

8 Q. I think I want to ask you a couple questions
9 about it.

10 A. Sure.

11 Q. Perhaps you remember addressing this before,
12 but I'm going to ask you about it here. Did you take the
13 position that this same theory about changes in E/MF
14 might explain why the dinosaurs went extinct?

15 A. I did not use that language.

16 Q. You didn't; I see.

17 A. No, I used -- the example I gave were radia-
18 laria [phonetic], which are another creature in the
19 ocean, or in the oceans, I guess, that people who study
20 species extinction have used in the past to measure when
21 there was a major change in extinctions.

22 And the single-celled creatures were referred to
23 in the Science article. Subsequently, I did use the word
24 "dinosaurs," but I'm not sure where. I'm not sure if it
25 was in a publication or a talk.

1 Because for a while I gave a number of talks which
2 I thought had a very nice title. The title was "From
3 Diatoms to Dinosaurs."

4 It had a nice ring to it, so I was giving this at
5 a number of classrooms and lectures. Not at scientific
6 meetings, however.

7 Q. That is a pretty catchy title.

8 A. I thought so.

9 Q. I was an English student myself, and I always
10 liked "From Beowulf to Virginia Wolfe."

11 (Laughter.)

12 So I can understand in your area that's a good
13 title; it piques the interest.

14 A. Unfortunately not. I only gave it twice.

15 (Laughter.)

16 Q. Well, it probably takes a lot to get scien-
17 tists excited.

18 JUDGE SMOLEN: The only party that's making hay
19 out of this is the court reporter, because they're get-
20 ting a longer transcript.

21 (Laughter.)

22 BY MR. WATSON:

23 Q. Okay, I guess we have to get serious again.

24 Let's talk a little bit about the study that you referred
25 to by Nancy Wertheimer [phonetic] on reproductive

1 effects. Do you recall referring to that?

2 A. Yes, that was her second major epidemiological
3 study, yes.

4 Q. I'm referring to the one though that dealt
5 with reproduction effects.

6 A. Electric blankets, yes.

7 Q. Okay. Now, do you consider that --

8 MR. SUGARMAN: Do you have the reference to that
9 in his testimony, please?

10 MR. WATSON: Yes, it's on page four, the fourth
11 paragraph. At least that's one place.

12 MR. SUGARMAN: You're not asking him about the
13 work that's referenced on page three of his testimony?

14 MR. WATSON: No, I'm asking him this one.

15 MR. SUGARMAN: Okay. I just want to make it
16 clear. He referenced two of them. That's why I asked
17 the question.

18 MR. WATSON: Yes, okay.

19 BY MR. WATSON:

20 Q. Do you have that, Dr. Liboff?

21 A. I have the line in my testimony, yes.

22 Q. On page four?

23 A. On page four.

24 Q. All right, that's the one I'm talking about.
25 Let me ask you this. Is that study an important

1 one for your opinion in this case?

2 A. It's an important one because it -- the thing
3 about Dr. Wertheimer that I found amazing was that in an
4 unfunded, and with not the very best tools, she has been
5 able to initiate two separate epidemiological areas that
6 are now being investigated by a lot of other people, a
7 lot more money, with much better tools. By that I mean
8 big computers and the like.

9 And the first one was published, as you know, in
10 1979, dealing with this question of leukemia and cancer,
11 and so forth.

12 The second one, which came a few years later, was
13 also a sort of a bombshell, which has sent ripples over
14 this community. And it deals with the question of repro-
15 ductive effects.

16 And in the sense that it represents one good sci-
17 entist's attempts to just do some work in this area, and
18 an attempt on her part to look at things that other peo-
19 ple apparently have not looked or not done properly, or
20 whatever, it has influenced me. There's no question
21 about that.

22 Q. Okay. Now, she's a psychologist, is that
23 correct?

24 A. As I understand it, she has a Ph.D. in psycho-
25 logy, yes.

1 Q. Now, you're familiar with a report written by
2 the scientific advisory panel of the New York Power Lines
3 Project, is that correct?

4 A. Yes. The final report, which is -- the first
5 author of which was Aahlbom, A-A-H-L-B-O-M; is that the
6 one you're referring to?

7 Q. Yes, I think so.

8 A. Yes, okay.

9 Q. And you've previously, I believe, expressed
10 the opinion that that report was basically done by a
11 committee, and was on the whole pretty fair?

12 A. Well, I've said different things about it.
13 Because there are parts of it that I found have been --
14 that I'm rather supportive of.

15 But others, I think, in that report, are -- there
16 are components of that report that I don't necessarily
17 agree with.

18 For example, the director of the project,
19 Dr. Carpenter, has reflected a similar sort of variation
20 on how the -- and he was the one who was the chairman of
21 the board.

22 You could almost see through some of the state-
23 ments he made that there were considerable problems with
24 putting together a single report to cover the diverse
25 experiments.

1 Q. This was a committee of scientists, wasn't it,
2 that was involved here?

3 A. A committee of scientists. But not only that,
4 it covered a range of experimental and epidemiological
5 phenomena that were not, in a sense, capable of being
6 analyzed by one or two people. This committee had very
7 diverse backgrounds.

8 Q. Now, when that committee looked at this
9 Wertheimer study that you referred to, did they conclude
10 that the claims of Wertheimer and Leaper [phonetic] from
11 this study were unjustified?

12 A. I remember the exact wording. I know that the
13 work that had been done for that New York State report,
14 in an attempt to reexamine the Wertheimer work, was done
15 by the lead investigator, Dr. David Savitz.

16 And the question has arisen as to whether
17 Dr. Savitz, with a lot more money, verified what
18 Dr. Wertheimer had claimed.

19 Q. Are you on the reproductive study?

20 A. Oh, we're talking about --

21 Q. I think I --

22 MR. SUGARMAN: You're talking about the New York
23 characterization --

24 MR. WATSON: Let me start over.

25 THE WITNESS: Okay.

1 MR. WATSON: I may have fuzzed it at the
2 beginning.

3 BY MR. WATSON:

4 Q. I'm basically talking about the Wertheimer
5 reproductive study that you --

6 A. Oh, I'm sorry.

7 Q. That one that you cite.

8 A. I'm sorry.

9 Q. Where you talked about over here, I believe,
10 on page four. And as to what one -- well, let me re-ask
11 the question, because I think you were probably reacting
12 to a different question then.

13 A. Yes.

14 Q. The New York Power Lines Panel, do you agree
15 with their conclusion that the claims of Wertheimer and
16 Leaper, that this study was basically -- the Wertheimer
17 and Leaper --

18 A. I understand what you're saying.

19 Q. Let me try it again.

20 A. Sure.

21 Q. That was a messy question, to say the least.

22 Do you agree with the New York Power Lines Panel's
23 conclusion that the Wertheimer reproductive study claims
24 were not justified?

25 MR. SUGARMAN: Would you show him then, and show

1 us, the New York statement to that effect? You're char-
2 acterizing it.

3 MR. WATSON: Well, let me step back and ask this.

4 BY MR. WATSON:

5 Q. Are you aware of the conclusion of the New
6 York Power Lines Panel about the Wertheimer reproductive
7 study?

8 A. I don't recall it.

9 Q. You don't recall it?

10 A. No.

11 Q. All right.

12 A. What puzzles me about your question, and the
13 last question you asked, sir, is that it seems to me the
14 two would have overlapped in time to some extent.

15 The New York State Power Lines study was completed
16 in '86, I believe, something like that; late '85, early
17 '86. And her work on the reproductive -- the electric
18 blanket study, as I call it, I think overlapped consider-
19 ably with that report.

20 So it's hard for me to understand how there could
21 have been a negative statement in that report about her
22 work.

23 I do remember, however, attending a meeting in New
24 York, at the New York Academy of Sciences, where her work
25 was debated with one of the gentlemen from the Rochester

1 group that you talked about before, and where one of
2 these Rochester gentlemen waved around some of the New
3 York State material which had not yet been released to
4 the public and caused a bit of an embarrassment to many
5 people by effectively displaying this in the public while
6 even though this report had never been made public
7 before.

8 This was while he was debating Nancy Wertheimer.
9 And that was the first time I had heard Wertheimer talk-
10 ing about this electric blanket thing, electric blanket
11 study.

12 So I have a feeling that the two overlapped con-
13 siderably in terms of timing. But I'm not sure that the
14 New York State study had that much which could be said
15 about Wertheimer's work on reproduction.

16 Q. Understood.

17 (Material stricken from the record.)

18 BY MR. WATSON:

19 Q. Well, I'll just move on. Now, I believe you
20 say in your testimony that some --

21 MR. SUGARMAN: Well, wait a minute. Your Honor, I
22 don't want to leave it in the record that there were
23 findings. All we have is Mr. Watson's statement --

24 MR. WATSON: It's not in the record.

25 JUDGE SMOLEN: It's a statement of Counsel, it's

1 not testimony.

2 MR. WATSON: It's just my statement. This is no
3 evidence that there are any findings at all. The witness
4 said he doesn't remember them. So I think there isn't
5 anything right now in the record. I sure can't cite
6 myself.

7 JUDGE SMOLEN: That's right.

8 MR. SUGARMAN: I would like to move that the
9 statement of Mr. Watson that he's going to ask some other
10 witness about the findings be struck, even though it's a
11 statement of Counsel.

12 When somebody in the Commission staff reads
13 Mr. Watson's statement, he will assume, or she will
14 assume that there are findings.

15 And the witness has not testified to that, and
16 Mr. Watson is unwilling to produce and display the docu-
17 ment to which he claims to be alluding.

18 JUDGE SMOLEN: I'm going to resolve this.

19 MR. WATSON: I don't care, Your Honor.

20 JUDGE SMOLEN: Professor Liboff, were there find-
21 ings from the New York study, whatever they may be?
22 Don't tell us you don't recall what the findings were,
23 but do you know whether there were findings?

24 THE WITNESS: Not pertaining to this reproductive
25 study of Wertheimer's.

1 MR. SUGARMAN: When you say "not," do you mean
2 there were no findings, or are you saying you don't
3 remember?

4 THE WITNESS: I do not recall anything in that
5 final report by Aahlbom at all which represented the sum
6 total of the New York State Power Lines Commission's work
7 that went to the heart of whether or not the Wertheimer
8 work was correct or not, the work dealing with electric
9 blankets was correct or not.

10 JUDGE SMOLEN: Does that satisfy you?

11 MR. SUGARMAN: Yes. But I move to strike
12 Mr. Watson's statement that there were findings.

13 MR. WATSON: My position is, I don't care, Your
14 Honor.

15 JUDGE SMOLEN: Strike it. Let's go on.

16 (Laughter.)

17 JUDGE SMOLEN: Strike the statement of Counsel
18 only relating to alleged findings from the New York State
19 report.

20 (Whereupon, the statement was stricken from the
21 record.)

22 JUDGE SMOLEN: Go ahead.

23 BY MR. WATSON:

24 Q. Now, I believe, Dr. Liboff, on page four,
25 paragraph four, the last sentence, you say that there's

1 some supporting parallel evidence to the Wertheimer study
2 that has been published using chick eggs and fish eggs,
3 is that right?

4 JUDGE SMOLEN: Excuse me. That's your deposition,
5 probably.

6 Let's go off the record.

7 (Discussion off the record.)

8 JUDGE SMOLEN: Let's go back on the record.

9 THE WITNESS: The question asked of me was whether
10 I wrote or said in my testimony that there was evidence
11 indicating changes in chick eggs and fish eggs.

12 JUDGE SMOLEN: All right. Now you can respond to
13 it.

14 THE WITNESS: And the answer is yes.

15 JUDGE SMOLEN: All right.

16 BY MR. WATSON:

17 Q. And I believe you identified the chick egg
18 research that was done by the Madrid group, including
19 Delgado and Veda.

20 A. And mainly Jocelyn Leal, L-E-A-L. As well as
21 a navy group that had the Appalachian Project Hen House.

22 Q. Now, could you tell me whether the Madrid
23 group used sinusoidal fields or pulsed fields in their
24 research?

25 A. They mainly used pulsed fields.

1 Q. Were those the ones around which they recorded
2 some results?

3 A. Yes.

4 Q. And the other group you said was --

5 A. The Project Hen House --

6 Q. Project Hen House.

7 A. -- represented an attempt to verify the work
8 that had been observed in Leal's laboratory. And this
9 was a navy-financed project which included six laborator-
10 ies around the world, including the Madrid laboratory.

11 Q. And did they use sinusoidal fields or pulsed
12 fields?

13 A. They used pulsed fields.

14 Q. Now, I believe you've referred to work by
15 Winters?

16 A. Is there work in here referred to by Winters,
17 you're asking?

18 Q. I think there is.

19 A. Yes, on page six.

20 Q. Let me ask you, are you generally familiar
21 with the work by Winters?

22 A. Yes, as a matter of fact I have been to his
23 laboratory on a number of occasions and looked at data,
24 and things like that.

25 Q. And then I believe he and Phillips did some

1 work that was reported in this New York Power Lines
2 project?

3 A. Yes. A moment ago I told you about the debate
4 at the New York Academy of Sciences. It was at that --
5 it was that work that had not yet been released which was
6 held up by the gentleman from Rochester in a way that was
7 not proper.

8 And it was the Phillips or Winters -- it was the
9 Winters-Phillips research.

10 Q. Now, they were basically doing cloning work,
11 is that right?

12 A. Yes, they were looking at clones. But the
13 interesting thing about the way they did their experiment
14 was the way in which they tried to differentiate between
15 the electric and magnetic field effects.

16 They ran three sorts of experiments, one with only
17 an electric field, one with only a magnetic field, and
18 one with both electric and magnetic fields. And I think
19 this is the first time an experiment like this was tried.

20 Q. Now, do you consider yourself an expert in
21 this cloning research area?

22 A. Oh, I'm not.

23 Q. And I believe in your testimony on page four
24 in the last paragraph you make some reference to the
25 results of Barry Wilson on melatonin?

1 A. Yes.

2 Q. And does this work deal with endocrine changes
3 connected to the, related to the pineal gland?

4 A. Yes, it does.

5 Q. I believe in your testimony you say with ref-
6 erence to Dr. Wilson's research that the possibility
7 exists that one of the pathways affected may result in
8 breast cancer?

9 A. This is what Dr. Wilson says.

10 Q. Now, are you able to describe this possible
11 pathway?

12 A. No.

13 Q. Now, did you get your information about
14 Wilson's statements about his study from talking to
15 Wilson, or talking to somebody else?

16 A. Both.

17 Q. Both?

18 A. I --

19 Q. Was the other person you talked to
20 Dr. Tenforde?

21 A. I heard Tenforde at a meeting in Monterey a
22 few months ago discuss this work in Dr. Wilson's
23 presence.

24 I've also been in meetings where Dr. Wilson has
25 presented this work on a number of occasions. And

1 Dr. Wilson has also published this work in a number of
2 peer-reviewed areas.

3 And not only that, but another gentleman, a man
4 called Reiter, of the University of Texas, has seen simi-
5 lar effects.

6 Only that, the interesting thing is that
7 Dr. Reiter has seen effects at cyclotron resonance
8 frequencies.

9 So that's an experiment in cyclotron resonance
10 that did not originate in my laboratory, but in somebody
11 else's lab.

12 Q. Now, has Dr. Reiter published a peer-reviewed
13 article on this?

14 A. Yes. I believe it was given to Mr. Smith at
15 the time of the deposition. There was an article that
16 was published in Neuro-Science Letters.

17 Q. Are you familiar with a work by Dr. Wilson,
18 along with Dr. Anderson and Dr. Stevens, a 1990 book
19 which discusses this same issue?

20 A. Yes. That's a book in which I have a chapter
21 written.

22 Q. And that book is entitled "Extremely Low
23 Frequency Electromagnetic Fields, The Question of
24 Cancer"?

25 A. That's right.

1 Q. And do you understand that Dr. Anderson, with
2 Steven Wilson, conclude the book by saying it's not their
3 intent to argue that ELF exposure increases cancer risk?

4 A. I remember words to that effect. I don't
5 remember the exact quote; yes.

6 Q. You also refer to some research results by
7 Graham and Cohen.

8 A. Some very recent research, yes.

9 Q. Has that been published yet?

10 A. It's only appeared as an abstract.

11 Q. Now, and I believe you just referred a few
12 minutes ago to Dr. Russell Reiter?

13 A. Yes.

14 Q. And I believe you say that he has asserted
15 that, in your testimony -- let's try page five. I'm at
16 the fifth line down.

17 A. Yes.

18 Q. I believe you say that he's asserted that at
19 least some sleep disorders should be regarded as caused
20 by the electromagnetic environment?

21 A. That's true.

22 Q. Now, did you do your own analysis on sleep
23 disorders, or are you relying on his statements?

24 A. I'm relying about Dr. Reiter. This occurred
25 this year at a meeting in Atlanta when he mentioned that

1 in a talk.

2 Q. And has he published a paper on that that
3 you've seen?

4 A. I don't believe he's published on the question
5 of sleep disorders. He has published on the question of
6 melatonin deficiency.

7 Q. Now, his melatonin studies, do they deal with
8 rats?

9 A. I always have to think. Yes, those were rats.

10 Q. What is melatonin's role in the biologic pro-
11 cesses of rats?

12 A. The melatonin --

13 MR. SUGARMAN: Could I just hear that question
14 again?

15 JUDGE SMOLEN: Let the reporter read it back.

16 (Whereupon, the reporter read from the record as
17 requested.)

18 THE WITNESS: To the best of my knowledge, the
19 role of melatonin in the biological processes of rats is
20 the same as it is in humans.

21 In both cases, again to the best of my knowledge,
22 the role of melatonin is connected to the sleep/wake
23 cycle, such that when the ambient light, let's say, is
24 removed through the evening hours there's a very large
25 change in the output of melatonin, which is connected to

1 -- which comes from the pineal gland.

2 And it's a favorite for many biochemists because
3 it is one of those substances that undergo such a large
4 change that it's easily measurable.

5 The original work on melatonin changes came out of
6 Germany before Wilson and Reiter approached it. And
7 there there were two people, one of whom was Peter Sen,
8 and the other a man called Vollrath, V-O-L-L-R-A-T-H, who
9 studied rats and found that under conditions of changed
10 local magnetic fields the melatonin output could be
11 changed considerably.

12 I might add, since we're talking about replica-
13 tion, that a group contacted me from the NIH when I was
14 at the navy and asked whether I would like to participate
15 in an attempt to replicate Vollrath's work. And I did
16 not. We could not replicate the work.

17 But subjectivity being what it is, everyone
18 assumed that we had done something wrong. In other
19 words, the experiment by Vollrath was taken to be pro-
20 bably correct.

21 And I think the consensus that I can find in the
22 community that looks at these experiments thinks that
23 indeed the Vollrath-Sen-Wilson-Reiter work is in essence
24 right; that melatonin apparently changes following appli-
25 cations of magnetic signals in a way which is analogous

1 to what happens when light is used as a cue for the ani-
2 mal to produce or not produce melatonin.

3 That is to say, the magnetic field in these condi-
4 tions somehow mimics or is connected to the role of
5 light.

6 Q. Now, is the pineal gland part of the endocrine
7 system, if you know?

8 A. I don't know. But I assume it must be, yes.

9 Q. Now, I believe you also discussed on page two,
10 the third paragraph, of your testimony, some research on
11 cell cycles by Maran [phonetic]?

12 A. Yes.

13 Q. Now, do you know if those research results
14 have been reproduced?

15 A. I don't think so. I think the thing about the
16 Maran work, however, is the fact that that also was a
17 longstanding series of experiments that went on for many,
18 many years.

19 And the believability in that case doesn't stem so
20 much from the fact that other people have yet to do it,
21 as much as the fact that the team was an excellent team.

22 They had a chemist, a biologist and a physicist as
23 part of that team. And they stayed with the experiment
24 for, I don't know how many years, but it was more than
25 five or six. And it was peer-reviewed; and the work is

1 basically universally accepted.

2 Q. Now, that research dealt with the length of
3 cell cycles, is that right? Just in general.

4 A. It dealt with how long it takes for an organ-
5 ism to divide.

6 Q. And that research was done on slime molds?

7 A. Yes. It's a amoeba; it's a self-aggregating
8 amoeba, which unlike the amoebas that we're given in high
9 school to look at under a microscope, these are amoeba
10 that aggregate into stalks, and then break apart, and
11 then re-aggregate. It's a very complex system, although
12 they're still amoeba.

13 Q. So it would be pretty hard to draw direct
14 implications for human health in this particular
15 experiment?

16 A. Again, I would not be the one to try and do
17 that.

18 Q. Now, we've talked a lot about the magnetic
19 fields. But I believe we had some reference to electric
20 fields.

21 Are you taking a position one way or the other on
22 whether electric fields are hazardous to humans?

23 A. No, I prefer to stay out of that area, mainly
24 because -- if I can just spend a few seconds discussing
25 the road map in this case -- magnetic fields have the

1 virtue, at low frequencies at any rate, that when one
2 applies a field to a cell or to an animal or to a human,
3 the field that pervades the inner part of the cell or
4 goes to the human, is exactly the same as the field that
5 one applies.

6 There is no diminution or change or attenuation of
7 the field as it enters the living system.

8 However, when one uses electric fields, it is
9 usually quite difficult to get a measure of the actual
10 electric field that is present inside of the cell or
11 animal or human being, because of the fact that living
12 systems have materials which are conducting in them, and
13 they tend to effectively change the level of the field
14 from inside to outside.

15 Now, this -- although many people have done exper-
16 iments with electric fields, I've always been frustrated
17 in attempting to design experiments like that because
18 it's hard to devise a parameter that will give a good
19 measure of what the actual field is inside the cell or
20 whatever.

21 So I have just stayed on the sidelines and watched
22 people yell at each other.

23 Q. All right. Now, --

24 A. There are some people who believe electric
25 fields do this, and other people who claim that they

1 don't. But as far as I'm concerned, the jury is still
2 out.

3 Q. Now, on page two of your testimony --

4 JUDGE SMOLEN: Let me interrupt for a minute.

5 Are you saying that if an object is within an
6 electromagnetic field, it's the same force wherever they
7 are within that particular field?

8 THE WITNESS: No, we have to change our words,
9 Judge.

10 JUDGE SMOLEN: All right.

11 THE WITNESS: Don't use the word "electromagne-
12 tic," use the word "magnetic."

13 JUDGE SMOLEN: Magnetic; excuse me.

14 THE WITNESS: Within a magnetic field, if you
15 design the experiments properly -- because it can still
16 be screwed up.

17 If you design your experiments properly, it is
18 likely that you can estimate the field inside the system
19 precisely, if you know the field outside.

20 When one tries to do an equivalent thing with an
21 electric field, the problem becomes quite difficult. In
22 fact, the electric power industry, and EPRI [phonetic] in
23 particular, has spent, I think, millions of dollars in
24 trying to estimate the fields inside of humans.

25 MR. SUGARMAN: Electric fields?

1 THE WITNESS: Electric fields.

2 JUDGE SMOLEN: Well, let me just get back to mag-
3 netic field. In other words, there is some definitive
4 line that where an object is on one side of the line
5 within a magnetic field, that object is subjected to the
6 magnetic field; and if it moves slightly outside that
7 particular line it is not subjected to any magnetic field
8 whatsoever?

9 THE WITNESS: No, that's not precisely what I
10 said. The problem with trying to extrapolate what I just
11 said to power lines is that the magnetic field associated
12 with power lines is not a constant field, but changes as
13 it moves from the power line out towards the right of way
14 and beyond.

15 Now, if at any one point, let's say it's a hundred
16 feet away from the line, or fifty, or twenty, if one
17 measures the field in air, the magnetic field in air at
18 that point, and you place a human being into that field,
19 it will be the same field inside the human as it was in
20 air.

21 So you are therefore allowed to take the value of
22 field that you measure without the presence of the human,
23 and use that when the human is placed in the field in a
24 theoretical way.

25 However, if you're measuring the electric field,

1 which --

2 JUDGE SMOLEN: Well, all right. I don't want to
3 interrupt you, but I want to understand the magnetic
4 field.

5 THE WITNESS: Okay. The magnetic field permeates
6 everything. If, on the other hand, our bodies were made
7 of steel or iron, nickel, cobalt, something like that,
8 the fields inside of us, the magnetic fields inside of
9 us, would be radically different from what they are in
10 air next to us.

11 Because we don't have any magnetic, or nothing to
12 speak of, inside of our bodies, the magnetic fields are
13 the same.

14 JUDGE SMOLEN: It seems to me that you said that
15 the force of a magnetic field remains the same as long as
16 an object is within that force?

17 THE WITNESS: We can't use the word "force" here.

18 JUDGE SMOLEN: Well, what word should we use?

19 THE WITNESS: "Field."

20 JUDGE SMOLEN: "Field;" excuse me. Is that
21 correct?

22 THE WITNESS: Yes.

23 JUDGE SMOLEN: All right.

24 MR. SUGARMAN: Your Honor, can I add something, I
25 think by way of clarification?

1 JUDGE SMOLEN: Go ahead.

2 MR. SUGARMAN: What the professor is saying is
3 that because --

4 MR. WATSON: Your Honor --

5 JUDGE SMOLEN: Now wait a minute, the professor
6 can say what he's saying.

7 MR. WATSON: I have a little problem with that.

8 MR. SUGARMAN: May I rephrase your question, just
9 to clarify?

10 MR. WATSON: Well, maybe I could continue cross
11 examination, Your Honor.

12 JUDGE SMOLEN: All right, let's just cross-
13 examine. Do it on redirect.

14 MR. SUGARMAN: My point is, I think Your Honor is
15 asking a slightly different question from what he's
16 answering.

17 JUDGE SMOLEN: This witness is sophisticated
18 enough and knowledgeable enough --

19 MR. SUGARMAN: Extremely so.

20 JUDGE SMOLEN: -- to know a basic question.

21 MR. SUGARMAN: Absolutely.

22 JUDGE SMOLEN: I'm trying to get clear in my mind
23 whether or not a magnetic force remains the same so long
24 as that force is applied within a certain area, and
25 beyond that area there is no force whatsoever; or does

1 that force diminish.

2 THE WITNESS: The answer is no, there is no line
3 beyond which the magnetic field disappears.

4 JUDGE SMOLEN: Okay.

5 THE WITNESS: I believe that goes to the heart of
6 the question.

7 JUDGE SMOLEN: All right. That's what I was look-
8 ing for.

9 THE WITNESS: Yes.

10 JUDGE SMOLEN: Now, does that mean the magnetic
11 force in the same --

12 THE WITNESS: Magnetic field, Your Honor.

13 JUDGE SMOLEN: Excuse me, field; the magnetic
14 field is of the same intensity to infinity?

15 THE WITNESS: If the source if a power line, the
16 answer is no. It falls off from the power line, outward
17 from the power line.

18 JUDGE SMOLEN: Does the --

19 THE WITNESS: The magnetic field --

20 JUDGE SMOLEN: Falls off?

21 THE WITNESS: -- falls off.

22 JUDGE SMOLEN: All right.

23 THE WITNESS: And falls off very rapidly. And as
24 a matter of fact, there is, I believe, written testimony
25 in connection with this hearing that was produced by

1 either Boeggeman, Mr. Boeggeman, or Mr. --

2 JUDGE SMOLEN: Yes, early in the case.

3 THE WITNESS: Or whatever; in which there is a
4 very nice picture of the magnetic field that is drawn
5 from the center of the right of way in both directions.

6 And if you remember, that picture has the shape of
7 a bell curve. It has a shape which rises in the middle
8 and falls off symmetrically in both directions.

9 And even though you can go out to great distances,
10 I'm not sure what the highest -- if you used feet, as I
11 remember, to go out left and right of this line.

12 But in principle, you could find non-zero levels
13 of a magnetic field miles away. You could not measure
14 them anymore, because you'd also have local sources that
15 would add to the field. But in principle the field goes
16 out to infinity.

17 JUDGE SMOLEN: All right, enough on that from my
18 point of view at this point.

19 THE WITNESS: Okay.

20 JUDGE SMOLEN: Go ahead, Mr. Watson.

21 BY MR. WATSON:

22 Q. Dr. Liboff, on page two of your testimony,
23 lines, about six or seven down from the top, I believe
24 you say that biological systems contain certain receptor
25 -- well, let me read your exact language; "biological

1 systems contain receptors or sensors designed to recog-
2 nize these small signals."

3 A. Yes, again this is out of context. But I do
4 say that "biological systems contain receptors or sensors
5 designed to recognize these systems."

6 If you wanted to place it in context to what I had
7 written before, one would have to add a word like, in
8 that biological systems "perforce" or "must" contain
9 receptors or sensors.

10 It's an implied statement that does not neces-
11 sarily mean that anybody has ever observed sensors or
12 receptors that are capable of detecting a magnetic field.
13 But it represents an explanation as to how magnetic
14 fields could interact with biological systems.

15 Q. How they could; we just haven't identified
16 them?

17 A. That's correct.

18 Q. Now, let me refer you to page four of your
19 testimony, third paragraph, last sentence. And I assume
20 that I'm reading this, since your entire testimony is
21 here, the whole thing's already in; I'm just referring
22 you to this because I'm going to ask you a question.

23 So if you'll look at this entire picture, there-
24 fore the sum of it all is that a variety of physiological
25 processes are exquisitely sensitive to weak ELF magnetic

1 fields, and that one of these pathways apparently leads
2 to cancer in humans; did I read that correct?

3 A. That's correct.

4 Q. Did you carefully select the word
5 "apparently"?

6 A. I thought you were going to ask me about
7 "exquisitely."

8 (Laughter.)

9 A. Yes, it's a fair characterization. It's a
10 carefully applied word.

11 Q. So would it be fair to say from your careful
12 selection of the word "apparently" that you are presum-
13 ing, as you referred to earlier, that such a pathway must
14 exist?

15 A. I'm not sure I'm saying that. What I'm saying
16 is that on the face of it.

17 Q. Okay.

18 A. "Apparently" to me means on the face of it, it
19 appears, it seems; it seems likely.

20 Q. Okay. But again, no researcher has identified
21 such a pathway at this point?

22 A. Well, again, sir, I just suggest that that is
23 out of context, because that sentence represents the sum
24 of some statements that I made above which make it appar-
25 ent to me, as opposed to making it a laboratory

1 statement, or even an epidemiological statement.

2 If I can expand a minute, what I am suggesting is
3 that --

4 Q. I was just asking whether --

5 JUDGE SMOLEN: Let the witness finish.

6 If you have not concluded your previous sentence,
7 go ahead.

8 THE WITNESS: What I was trying, and I have been
9 saying now for some time, in this testimony and in other
10 contexts, is that I see data which is coming in from
11 laboratory studies, experimental cell studies, let's say,
12 which by itself does not show hazard.

13 I see data coming in from work on trying to repair
14 bone; I see reports of epidemiologists who are looking at
15 cancer.

16 And I look at these not individually, but I'm
17 looking at this as a sum story.

18 The point is that in all three cases one has a
19 common denominator. The common denominator is ELF magne-
20 tic signals.

21 And it seems to me that if I have three different
22 types of results, all of which are problematic, because
23 the weak magnetic fields are not supposed to do anything,
24 but there are three disparate, different types of
25 results.

1 And in a way, that strengthens each of the
2 results.

3 Q. I see. Well, let me just ask you this. I'm
4 really just asking you the question, in simple terms, has
5 there been any researcher that has identified this path-
6 way that you're referring to here; anybody who's said,
7 here's the pathway?

8 A. No sir, I have not been able to find such.

9 Q. Okay. Now, Dr. Liboff, you believe, do you
10 not, that more research should be conducted on E/MF?

11 A. Oh, yes.

12 Q. And I believe you expressed the view in the
13 past that this research should not be placed in the hands
14 of the Department of Energy to administer?

15 A. I did not quite say it like that.

16 Q. Okay. Well, tell us what you did say.

17 A. I said that the primary source of funding
18 should not be the Department of Energy.

19 Q. And did you reach that conclusion because you
20 felt that D.O.E., in your view, would succumb too easily
21 to political pressure? Is that what you said?

22 A. Unfortunately, yes. And I can only give the
23 best example I can give, a personal happening. I was
24 supported by the Department of Energy, oh, three, four
25 years ago, approximately.

1 And at that time I had begun making some public
2 appearances, as people called in connection with expert
3 witnesses, and this witnessing in this area, and appear-
4 ances before zoning boards, and whatever.

5 And apparently the Department of Energy people
6 heard about it, and they cautioned me about making public
7 statements.

8 And this is a remarkable thing, because I've taken
9 government research money before, and no one has ever
10 told me what I do with my own time.

11 In other words, if you're doing research for the
12 government, it's none of their damn business how you
13 report this work externally.

14 If you work for the government, it is. If you're
15 a member of state or local or federal government, of
16 course, there's a constraint on you.

17 But as a university scientist, no one's going to
18 tell me what to say.

19 And I was shocked at the fact that the Department
20 of Energy felt so, either beleaguered or frightened, or
21 whatever, in connection with the things that I was saying
22 innocently.

23 And I was not saying, by the way, at that time
24 that there were hazards. I was just reporting that there
25 were biological effects.

1 But they felt compelled to actually warn me. And
2 since then I've heard others say the same thing.

3 I can only come to the conclusion that the
4 Department of Energy, whatever its motives -- and it may
5 be that they just want to run a tight ship. They don't
6 want to have their people blabbing all over the country
7 about this or that.

8 Whatever their motivation, it is totally wrong. A
9 scientist should not be compelled to report the views of
10 whoever is funding them.

11 If you work for the government, it's fine. If you
12 work for private industry, it's okay. But not as an
13 independent university scientist.

14 Q. Do you think this was just one person who
15 worked there expressing his or her private view to you,
16 or do you think it was an official message?

17 A. Well, the person told me, don't -- in effect,
18 I didn't tell you this. But why would a person have come
19 out and said it?

20 It could have been a very, very personal opinion.
21 But the fact is that others have reported similar things
22 involving the fear -- and I don't know what the fear is
23 personally; but the fear that somehow -- or I don't know
24 if the fear is justified, I should say..

25 But somehow the feeling that the public should not

1 be informed of these dangers because somehow this is
2 going to provide all sorts of problems for the electric
3 power industry, for the defense industry, or the Defense
4 Department, people like that.

5 It seems to me the public has a right to know, if
6 there's information available, what's going on.

7 Q. Who do you think in the government is trying
8 to convey that message?

9 A. I can't possibly begin to think who in our
10 government would restrict, or whatever. I don't think
11 it's even necessarily any one person.

12 I just think what happened was that the Department
13 of Energy has a background which is rooted in military.
14 For years the Department of Energy was the agency that --
15 well, it used to be called the Atomic Energy Commission,
16 which dealt with the nuclear energy, ionizing radiation,
17 and things like that.

18 And after a while I guess the way that agency runs
19 is that, as I say, they like to run a tight ship, where
20 they're used to dealing with people who are not going to
21 go around making arguments, in the case of atomic energy,
22 that atomic energy is bad for you.

23 Q. Did they take any action against you, do you
24 feel, as a result of your making statements?

25 A. I can't prove anything, but I can tell you

1 that I was never funded again; and that furthermore, I
2 was told that they could not fund me.

3 Q. They could not?

4 A. They could not. The ostensible reason was
5 that I'm a university scientist. They find it easier to
6 fund people who are in the military or who are in other
7 government agencies.

8 And yet I consider myself a leader in the field,
9 and I cannot find research money from the Department of
10 Energy.

11 Q. What about the Department of Defense, do you
12 think they should be in charge of research funding in
13 this area?

14 A. Well, actually, the navy for years was a sup-
15 porter of my research. And I don't think that in many
16 respects the office of Naval Research, if you use that
17 particular part of the navy, is a very, very liberal
18 group when it comes to supporting research in a way that
19 does not provide constraints.

20 I don't think that the Department of Defense is
21 the answer either. I think that this work should be
22 housed in the National Institutes of Health or the
23 National Science Foundation.

24 Q. What about the Environmental Protection Agency
25 being in charge of E/MF research?

1 A. Now, EPA has a small problem, that if you'll
2 look at the way their budget is fashioned, the EPA's
3 research effort is mainly intramural.

4 That is to say, they do their work in-house.
5 Which means that none of the EPA people, or scientists,
6 working in this area can come and testify before hearings
7 like this.

8 This has come to me recently, this feeling that
9 somehow the amount of information which is coming forth
10 is being filtered by the process.

11 It may not be a process that is deliberate, menda-
12 cious or malevolent, but it is a process that effectively
13 has stopped information from coming to groups.

14 The reason I know this is because I just receive
15 too many calls from citizens groups asking for me to come
16 forth as an expert witness. And it makes no sense to me.
17 There are hundreds of members in the BEMS group.

18 And when I ask people who have testified before,
19 they've told me that, well, they're looking for a govern-
20 ment job, or they're trying to keep their grant, or
21 things like that.

22 The level of intimidation in this area has been
23 quite high.

24 Q. Have you suggested that perhaps EPA should not
25 be in charge of this area because they might succumb to

1 political pressures?

2 A. I didn't say it like that. What I said is
3 that there was a scientific report that was fashioned by
4 EPA scientists, along with outside scientists. And this
5 report came to be known as the EPA Report.

6 It's a thick document, and most people that are in
7 this field know about it.

8 It's a document that basically is a wonderful
9 bibliography. It's the best bibliography in this area I
10 have ever seen.

11 And what it did was to essentially do three
12 things. It was a wonderful bibliography in terms of
13 looking up various reports. It commented on these vari-
14 ous reports. So there was a little editorializing, if
15 you want to call it that.

16 And it also came to a conclusion concerning the
17 fact that magnetic fields should be classified as a Class
18 B1 carcinogen.

19 I'm not sure what the classification was, but the
20 word carcinogen was in there.

21 When this came out as a -- before the actual
22 imprimature [phonetic] of the EPA was place on this docu-
23 ment, the story is that Mr. Sununu was approached by a
24 number of people who pointed out that the total number
25 of --

1 MR. WATSON: Your Honor --

2 MR. SUGARMAN: He asked the question, Your Honor.

3 THE WITNESS: I'm answering the question.

4 JUDGE SMOLEN: Wait, wait. We'll let the witness
5 finish.

6 MR. WATSON: I'm sorry, Your Honor.

7 JUDGE SMOLEN: Let the witness finish.

8 Go ahead.

9 THE WITNESS: I'll be brief. You asked me whether
10 or not --

11 BY MR. WATSON:

12 Q. Whether or not the EPA ought to be in charge
13 of this.

14 A. And what happened was, the story is -- I bear
15 no firsthand knowledge of this -- that there was a high
16 level decision made to appoint a board that would smooth
17 out this question of whether or not the magnetic fields
18 were carcinogenic.

19 And as such a board was appointed, which has seen
20 fit to criticize the first report.

21 In a way I don't understand that, because here was
22 a scientific report made by scientists. And it seems to
23 me that there should be no political manipulation of
24 scientific reports.

25 Q. In fact though, wasn't that panel appointed

1 under the auspices of the Environmental Protection
2 Agency's Science Advisory Board?

3 A. Yes, it was.

4 Q. And isn't that a normal process that EPA uses
5 regularly when it issues reports like this?

6 A. Not usually do you find the president's sci-
7 ence advisor trying to pack the number of people on that
8 board. And of course that happened, as you know.

9 What happened was that Bromley, the presidential
10 science advisor, tried to get certain people onto that
11 Science Advisory Board.

12 Q. Are you suggesting that you talked to
13 Dr. Bromley, or that you know this to be a fact from
14 firsthand knowledge?

15 A. Well, I have seen a memo that was published in
16 a number of places by Dr. Bromley urging that this board
17 be composed of certain people whom he suggested.

18 Q. Were those people appointed?

19 A. They were not.

20 (Pause.)

21 JUDGE SMOLEN: Are we going on into a different
22 topic now in the cross examination? How much more do you
23 have?

24 MR. WATSON: If you're asking is there a logical
25 break point here --

1 JUDGE SMOLEN: Is this a logical point to break
2 for lunch?

3 MR. WATSON: It would be fine, Your Honor.

4 JUDGE SMOLEN: All right. Because it's one
5 o'clock, and the witness has been on a long time, and the
6 reporter's been working hard. It's time for us to take
7 lunch.

8 MR. WATSON: Fine.

9 JUDGE SMOLEN: All right, we will recess until two
10 o'clock.

11 (Whereupon, at 1:00 p.m., the hearing was
12 adjourned, to reconvene at 2:00 p.m., this same day.)

13 ***

14

15

16

17

18

19

20

21

22

23

24

25

AFTERNOON SESSION

(2:10 p.m.)

1
2
3 JUDGE SMOLEN: I think we're ready to resume cross
4 examination of the witness by Mr. Watson.

5 MR. WATSON: Thank you, Your Honor.

6
7 Whereupon,

8 ABRAHAM R. LIBOFF

9 having previously been duly sworn, testified further as
10 follows:

11
12 CROSS EXAMINATION (Continued)

13 BY MR. WATSON:

14 Q. Dr. Liboff, do I correctly recall that you
15 said before we broke that the two agencies that you think
16 ought to be involved in administering the E/MF research
17 funds would be the National Science Foundation and the
18 National Institutes of Health, and then made some state-
19 ment about whether the Science Foundation should do it?

20 MR. SUGARMAN: Your Honor, I didn't hear the first
21 word of that question, the first word after did he cor-
22 rectly remember. Is he saying the agencies, or two agen-
23 cies that should be involved in the research? I didn't
24 hear the question.

25 JUDGE SMOLEN: Well, then we'll have the reporter

1 read it back.

2 (Whereupon, the reporter read from the record as
3 requested.)

4 MR. SUGARMAN: I'm going to object to that ques-
5 tion, because it's unclear as to whether the witness is
6 being asked to say that they are the only two agencies,
7 or the two agencies that he --

8 JUDGE SMOLEN: He's not being asked either. He
9 asked whether he recalled testifying in a certain
10 fashion. He either recalls or he doesn't.

11 You may answer.

12 THE WITNESS: I don't think I said that before
13 lunch. I did say that in the deposition, however. That
14 may be where you're lifting that from.

15 I have pointed out that -- first of all, when I
16 say these agencies should be involved, or the way you
17 said it, should be involved, what I'm really getting at
18 is that the primary effort should be focused in agencies
19 such as that, as opposed to the primary effort being
20 focused at either the EPA or DOE.

21 I think the NIH should be doing the bulk of the
22 research funding.

23 The NSF is a little more difficult to involve in a
24 logical way, even though they can presumably get involved
25 in some of the research, because, for example, the

1 National Science Foundation would never involve itself in
2 epidemiological research. It's too, quote, too clini-
3 cally oriented for the National Science Foundation.

4 But the NIH does epidemiological research.

5 BY MR. WATSON:

6 Q. Okay. Now, I believe that you referred in
7 your testimony, perhaps in various places, to epidemiolo-
8 gical research.

9 A. Yes.

10 Q. To begin with, could you tell me about how
11 many epidemiological studies you're referring to?

12 A. In the course of --

13 (Pause.)

14 Let me just answer that in a very careful way. I
15 think there are approximately forty to fifty studies that
16 have been done. But the analysis of these studies has
17 centered on perhaps nine or ten. I'm not sure of the
18 exact number.

19 By that I mean some studies are regarded as more
20 carefully done, and not subject to as much criticism as
21 others.

22 And it's not that they come at any particular time
23 or sequence. The group I'm using to assess the epidemio-
24 logical results are basically the same groups that are
25 utilized by the epidemiological review groups at Oak

1 Ridge, the epidemiological groups at the National
2 Institute of Occupational Safety and Health, and also
3 Dr. David Savitz, who is a rather careful observer.

4 The arguments, for example, that I've seen that
5 say there are as many negative epidemiological results as
6 positive, which apparently is one of the arguments used
7 against the likelihood of human hazard, I have been told
8 by colleagues at the Oak Ridge National Laboratory and by
9 the groups I've mentioned, normally are restricted to
10 something like less than a dozen.

11 Now, the most recent indication of this was a talk
12 I heard by Dr. Savitz in Madison, Wisconsin less than a
13 week ago, in which he was asked that question, and he
14 said that his analysis used a small number of epidemiolo-
15 gical results.

16 This is what I base my understanding on.

17 Q. Let me ask you again, if I can. Can you just
18 tell me the approximate number, or the exact number if
19 you know it, or the approximate number if you don't know
20 the exact number, of epidemiological studies that you're
21 referring to?

22 A. I thought I just answered that question. I
23 said that there was something like nine or ten studies.

24 Q. Nine or ten?

25 A. Yes. And I've given you the reason why I've

1 based my work on that.

2 Q. Now, I believe that you said earlier that the
3 staff draft EPA report had a pretty good bibliography.
4 Best bibliography, was that it?

5 A. In my opinion it is probably the best biblio-
6 graphy I've seen of this entire field. All I know is
7 that my students are using it as a place to get
8 references.

9 Q. And have you independently read and reviewed
10 the epidemiological studies in that EPA bibliography?

11 A. Not all of them. I have looked at the origi-
12 nal Wertheimer work, I looked at the Savitz work and
13 attended a number of talks by the group that Savitz ori-
14 ginally was connected with.

15 And there was one other report that I looked at a
16 little more carefully, but I've forgotten the author. So
17 I haven't done an exhaustive study of that.

18 Q. Let me refer you to your testimony on page
19 three, Dr. Liboff.

20 A. Yes.

21 Q. It would be the paragraph in the middle of
22 the page.

23 A. Yes.

24 Q. And I'm particularly going to focus you on
25 the portion about six or some lines down. It begins,

1 "Indeed, every positive study." Do you see that?

2 A. Yes.

3 Q. Now, I believe you refer there to evaluations
4 that you say have resulted in a sort of hysterical non-
5 scientific evaluation of the epidemiological data. Is
6 that the subject matter of that portion of that
7 paragraph?

8 A. Those are the adjectives I employed, yes.

9 Q. Let me ask you this. If the evaluations of
10 the epidemiological studies actually were, in your view,
11 valid and scientific, would that cause you to reassess
12 your conclusions regarding what the epidemiological lit-
13 erature means?

14 A. I don't understand the question.

15 Q. Well, I believe you said that there have been
16 hysterical and non-scientific evaluations of the epidemi-
17 ological literature. And so I'm asking you if in your
18 view there were evaluations that were in essence non-
19 hysterical and scientific, that that would cause you to
20 reassess your conclusions regarding what this literature
21 means.

22 A. That's not the opposite of what I said though.
23 You see, what you're suggesting by your question is that
24 if competent epidemiologists provided reviews that were
25 scientific and non-hysterical, then would I change my

1 opinion.

2 My opinion is not based upon individuals in the
3 epidemiological area who are making these reviews. What
4 I'm referring to here are people who are not
5 epidemiologists. And there's a difference.

6 Q. I see.

7 A. What I'm suggesting is that, for example,
8 Professor Adair, at Yale University, has said remarkably
9 that these studies must be wrong because there is no
10 explanation for them.

11 And if you read the beginning of that paragraph,
12 what you see is what I'm trying to say, is that because
13 the nature of the epidemiological results don't make
14 sense in a larger sense, they are therefore regarded as
15 wrong by certain members of the community.

16 And it's that that I'm getting at. I'm not get-
17 ting at the analysis of the epidemiology by people who
18 are epidemiologists at all.

19 Q. I understand. The Dr. Adair that you refer
20 to, is he the individual that holds the sterling chair of
21 physics at Yale?

22 A. Yes, he has a prestigious chair in physics at
23 Yale University, yes.

24 Q. Okay.

25 A. But he has gone on record as saying that there

1 must be something wrong with these results because they
2 don't make any sense. And I call that non-scientific.
3 Even though it comes from a person holding the sterling
4 chair of physics.

5 Q. All right. Now, let me see if I can get some
6 understanding of what you mean a little more by non-
7 scientific criticisms as distinguished from perhaps
8 hysterical.

9 Let me ask you this. Can you tell me what you
10 mean by a non-scientific evaluation, just in this area?
11 What principles are involved?

12 A. Let me back up and say that if there are epi-
13 demologists doing statistical surveys and performing
14 the things that they do, there is a discipline or com-
15 munity in that area that works according to scientific
16 principles.

17 It would be inappropriate for me to say that a
18 specific study is right or wrong, because I'm outside
19 that community.

20 But this is not -- when the shoe is on the other
21 foot, what's happened is that we find that various mem-
22 bers of the power community have looked to experts out-
23 side the epidemiological area very often to analyze the
24 epidemiological results, claiming, for example in the
25 case of Dr. Adair, that here we have an answer that is

1 preposterous and therefore there must be something wrong
2 with the way the epidemiologists did their work.

3 I would call that a non-scientific evaluation of
4 an epidemiological report.

5 Q. Well, would it be a fair characterization of
6 what Dr. Adair was attempting to do to say that he was
7 applying at least what appeared to be in his view a sense
8 of reasonableness in rejecting these results he thought
9 inconsistent with physics?

10 MR. SUGARMAN: Your Honor, unless Dr. Lair [phone-
11 tic], whoever he is, is going to be brought in here to
12 testify, I object to Mr. Watson trying to paraphrase what
13 Dr. Lair was trying to say in some unnamed context.

14 I think it's fair to ask the witness, is he aware
15 of an opinion by Lair. In fact he volunteered it. But
16 to ask the witness, allowing Mr. Watson to put in what
17 Dr. Lair's reasoning or thinking might be, it seems to me
18 is inappropriate, unless he's going to be a witness.

19 JUDGE SMOLEN: Well, I think the witness testified
20 as to what Dr. Lair's reasoning was.

21 MR. SUGARMAN: Well, the witness testified as to
22 what Dr. Lair said; I agree with you. I stand corrected
23 on that.

24 What Mr. Watson is now trying to do, Your Honor,
25 with the question -- I'm not sure, Your Honor, what the

1 question was -- what was Dr. Lair getting at, interpret-
2 ing what he said.

3 JUDGE SMOLEN: No, I think he asked whether or not
4 that type of an approach is a reasonable one.

5 Is that what you asked?

6 MR. SUGARMAN: No.

7 MR. WATSON: I asked him if Dr. Adair's approach
8 was based, his approach to this, which he volunteered to
9 describe, was based upon him saying that the results of
10 the epidemiology were not reasonable because they didn't
11 comport with his understanding of physics.

12 MR. SUGARMAN: That's a different question. I
13 don't object to that question.

14 JUDGE SMOLEN: All right, then if that's your
15 question, let the witness answer it.

16 THE WITNESS: I can answer best by going to the
17 paragraph before in my testimony. And let me read from
18 that.

19 "Essentially a weak electric or a weak magnetic
20 field is one that is so small that there is no good phy-
21 sical reason to presuppose any sort of physical interac-
22 tion with living systems. The magnetic fields associated
23 with electric power lines fit precisely into this weak
24 category. They are so small that the immediate reaction
25 of the physical scientists is to deny any likelihood of

1 interaction."

2 I then go on to say, "My opinion is that the basic
3 unlikelihood of these phenomena is the reason why the
4 original epidemiological work by Nancy Wertheimer, and
5 now by others, suggesting a connection between leukemia
6 deaths and power lines field has been vigorously denied."

7 Now, Dr. Adair -- and it's Adair, by the way,
8 A-D-A-I-R, not Lair.

9 JUDGE SMOLEN: All right, Dr. Adair.

10 THE WITNESS: What I'm getting at in that state-
11 ment is that it is Dr. Adair and others who prefer not to
12 treat epidemiology as a real discipline, but somehow
13 presumed to have such cosmic knowledge about them that
14 they're able to make generalizing statements and say this
15 must be wrong because I know better.

16 And that's what essentially he's saying. I call
17 that non-scientific. It's not reasonable.

18 BY MR. WATSON:

19 Q. I think I understand what you're saying.
20 Can't you, though, take what things you do know and apply
21 a rule of reason in looking at these studies?

22 A. Once I do that, I'm going to prejudge the
23 result that the epidemiological people are giving me.
24 And that's a very fundamental issue on this problem.

25 We have data that's coming out of the

1 epidemiological community, and then the people who claim
2 to know better say it's impossible, therefore it has to
3 be rejected.

4 I can't do that. I have to regard these state-
5 ments and facts and statistics as coming from people who
6 are reputedly trained, who have good credentials. And I
7 can't argue that somehow what they're doing is less
8 important than what I do.

9 Q. Now, was that --

10 A. Let me just add to this. The fact is that in
11 1980, about 1980, I did not believe that magnetic fields,
12 sinusoidal magnetic fields could affect DNA synthesis in
13 cells.

14 And when I originally went to the navy I almost
15 laughed at the project. I said, why bother, nothing is
16 going to happen.

17 And the point is that you can come with a prejudg-
18 ment on various experiments and concepts, and really what
19 you have to do is to be flexible enough to allow yourself
20 to flow into the material that is made available in the
21 laboratory and by various investigators.

22 And I suggest that the word "non-scientific" here
23 means just that. It means the scientist really has to
24 have an open mind.

25 Q. Now, can this same non-scientific approach

1 occur when somebody would look at the epidemiological
2 data and conclude that there must be something going on
3 here, and therefore see all the results in those eyes?

4 A. It's possible.

5 Q. In other words --

6 A. It's possible that --

7 Q. -- does this cut both ways?

8 A. It's possible that you could cut both ways.

9 An individual might see things through a certain, with a
10 certain tilt, as they say in politics.

11 I might add to that, by the way, interestingly
12 enough, when Nancy Wertheimer did her original research
13 she was not looking for a magnetic field correlation.
14 She stumbled upon it. She was looking at the cause of
15 leukemia deaths, and she had other parameters. And it
16 was just as a coincidence that she found the wire code
17 correlation.

18 Q. She had had quite an interest though in elec-
19 tric utilities before she did that study, hadn't she?

20 A. I'm not familiar with that. Are you suggest-
21 ing that she had somehow interacted with electric utili-
22 ties prior to 1979?

23 Q. I'm just asking you if you know.

24 A. I don't know, no.

25 Q. Now, I believe you also say in this same

1 paragraph here on this subject that critics have spent a
2 lot of energy searching out variables and etcetera. The
3 words are there, I'm not changing them, I'm just refer-
4 ring to that part of it. They're already in the record.

5 With reference to that, are you referring there
6 to, for example, people looking for confounders in these
7 epidemiological studies?

8 A. In part, yes, yes. The word "confounder" is
9 one that appears quite often in the lay literature sur-
10 rounding this area.

11 Q. And so your point is that there's a lot of
12 effort devoted to searching for confounders and hidden
13 variables?

14 A. Yes. I think even among the people who are
15 scientifically inclined. Because the criticism has been
16 so intense that many epidemiologists have then basically
17 tried to be so above-board in the way they approach this
18 project as to eliminate so-called confounders.

19 Q. And are you saying that this continued search-
20 ing and looking for confounders or hidden variables is a
21 good thing, or not a good thing?

22 A. Oh, it has to be a good thing.

23 Q. Now, you've referred earlier to the EPA
24 staff's draft report, have you not?

25 A. Yes.

1 Q. And I believe you've also referred to the
2 scientific panel under the Science Advisory Board that
3 reviewed that?

4 A. We spoke about that, and we got into the sub-
5 ject of Dr. Bromley and the attempts to try to fix that
6 board, and so forth.

7 Q. I'm just referencing that so there's a --

8 A. Yes.

9 Q. -- point here.

10 Now, did you review the scientific panel's evalua-
11 tion of the EPA staff draft report?

12 A. I ran across it by accident.

13 MR. SUGARMAN: I object. I don't know that there
14 is any scientific panel evaluation. And I think the
15 witness should be shown it if there is.

16 To my knowledge, there's a draft. But whether
17 that draft is currently approved by anybody, I don't
18 know.

19 If you have a document that is an evaluation, I
20 think you should show it to the witness.

21 JUDGE SMOLEN: The question was did he review
22 something.

23 MR. WATSON: That's right.

24 MR. SUGARMAN: But the assumption is that there is
25 one.

1 JUDGE SMOLEN: Well, why don't you ask that ques-
2 tion then.

3 MR. SUGARMAN: Why don't you ask that question
4 then.

5 JUDGE SMOLEN: Step backwards.

6 MR. WATSON: I think he testified that there was
7 such a panel.

8 MR. SUGARMAN: Objection. He never testified to
9 that.

10 JUDGE SMOLEN: Let's ask him.

11 MR. SUGARMAN: And you know very well there isn't
12 one.

13 JUDGE SMOLEN: All right, don't argue. I've ruled
14 on it. Let's not get into bickering.

15 MR. SUGARMAN: This is another example of --

16 JUDGE SMOLEN: Please, Mr. Sugarman, no bickering.
17 This is cross examination, there's latitude in cross
18 examination.

19 MR. SUGARMAN: I agree, Your Honor.

20 JUDGE SMOLEN: You can object, and I'll rule. And
21 you don't have to characterize Counsel's behavior.

22 Now, there's a question. Go ahead.

23 BY MR. WATSON:

24 Q. Dr. Liboff, do you know if there was a scien-
25 tific panel that was put together under the auspices of

1 the EPA Scientific Advisory Board to review the EPA staff
2 draft?

3 A. Yes.

4 Q. You know. And was there such a panel?

5 MR. SUGARMAN: I object.

6 JUDGE SMOLEN: To was there such a panel?

7 MR. SUGARMAN: I object to that. Because it's
8 deliberately intended to be obfuscatory. There is such a
9 panel. And the question was there such a panel is
10 unanswerable.

11 JUDGE SMOLEN: Change the tense.

12 MR. SUGARMAN: It's a deliberate attempt to put
13 something in the past.

14 JUDGE SMOLEN: All right, if you make an objec-
15 tion, I'll rule on the objection. But I don't want any-
16 more of these outbursts on the record. We're just wast-
17 ing a lot of time. You have an objection, I sustained
18 the objection, he's going to rephrase the question.
19 Let's go on.

20 BY MR. WATSON:

21 Q. Do you know if such a panel exists?

22 A. Yes.

23 Q. Do you know whether one exists or not?

24 A. I do know that it exists.

25 Q. That it does exist?

1 A. Yes.

2 Q. Okay.

3 MR. SUGARMAN: Your Honor, can Counsel be instruc-
4 ted to drop his gestures that are intended to --

5 JUDGE SMOLEN: No, he cannot. Let's go ahead.

6 BY MR. WATSON:

7 Q. Now, Dr. Liboff, did that panel issue a review
8 document on this EPA staff draft that you've seen?

9 MR. SUGARMAN: I object, unless the term "review
10 document" is defined.

11 JUDGE SMOLEN: Overruled.

12 THE WITNESS: A series of documents have been
13 prepared, starting, I believe, in January of this year,
14 which I've seen one of them. But it's my understanding
15 that this is a continuing process.

16 And there is a problem with trying to identify a
17 document per se, since there is a series of them. I saw
18 one such document, which I believe was issued sometime in
19 the summer, maybe in June.

20 MR. SUGARMAN: Your Honor, can it be clarified as
21 to whether there are separate reports or drafts of the
22 same report?

23 JUDGE SMOLEN: You can do it on redirect.

24 MR. SUGARMAN: Okay.

25

1 BY MR. WATSON:

2 Q. Do you recall in looking at the report, which-
3 ever one you examined, whether this scientific panel had
4 reached any conclusions?

5 MR. SUGARMAN: I object to that, Your Honor. It's
6 not a report. It's a draft of a document, as the witness
7 has previously testified.

8 MR. WATSON: I asked him if it was --

9 MR. SUGARMAN: It's a continuous effort to --

10 MR. WATSON: -- a draft that he looked at, Your
11 Honor.

12 MR. SUGARMAN: It's a continuous effort to manu-
13 facture a conclusion that doesn't exist, and Counsel
14 knows it.

15 JUDGE SMOLEN: Just a minute. This is cross
16 examination.

17 MR. SUGARMAN: And I object to it.

18 JUDGE SMOLEN: He can ask leading questions, --

19 MR. SUGARMAN: I agree with that.

20 JUDGE SMOLEN: -- and if the witness doesn't
21 agree, he can not agree.

22 MR. SUGARMAN: I agree with that.

23 JUDGE SMOLEN: Overruled.

24 You can answer the question.

25 THE WITNESS: Would you repeat it please?

1 MR. WATSON: I think we should have the reporter
2 read it.

3 JUDGE SMOLEN: Let's have the reporter read it
4 back.

5 (Whereupon, the reporter read from the record as
6 requested.)

7 THE WITNESS: Yes.

8 BY MR. WATSON:

9 Q. Do you know if anyone on CIRRPC reviewed the
10 EPA draft?

11 A. This was asked me at the deposition, as I
12 remember, and I wasn't familiar at that time, nor am I
13 now, with the acronym CIRRPC.

14 It seems to me that some people on this SAB,
15 Scientific Advisory Board we were just talking about,
16 were members of this other committee that might have been
17 called CIRRPC.

18 Q. And do you know whether the National
19 Institutes of Health reviewed the EPA staff draft or
20 commented on it?

21 A. I don't know that.

22 (Pause.)

23 I must add, the questions you're asking go to my
24 original comment, however. Since there has not been any
25 final report, it's not likely that I would form any

1 opinion as to who reviews what until something appears in
2 the literature that is some final statement.

3 Q. But do you know whether the agency comments
4 through the CIRRPC Committee are or are not final?

5 MR. SUGARMAN: What agency?

6 MR. WATSON: CIRRPC.

7 MR. SUGARMAN: Well, Your Honor, the witness
8 has --

9 THE WITNESS: I don't know what CIRRPC is.

10 MR. SUGARMAN: -- indicated he doesn't know of
11 such a committee.

12 MR. WATSON: Let me say again what --

13 JUDGE SMOLEN: He didn't say he didn't know of
14 such a committee, he said he didn't understand what
15 CIRRPC meant.

16 MR. WATSON: That's the Committee on Interagency
17 Radiation Research and Policy Coordination.

18 BY MR. WATSON:

19 Q. Are you familiar with that group?

20 A. Vaguely.

21 Q. Okay. Do you know whether they have submitted
22 final comments on the EPA staff draft report?

23 A. To the best of my knowledge, I just don't
24 know.

25 Q. All right. Dr. Liboff, do you agree with

1 PAUSE that this line should not be energized?

2 A. Yes.

3 Q. Now, in your testimony you say that there may
4 be specific values of the earth's magnetic field for
5 which 60 Hz exposure will be particularly hazardous, is
6 that right?

7 A. It's based upon two things.

8 JUDGE SMOLEN: Well, give an answer. Is that what
9 you said?

10 THE WITNESS: Well --

11 JUDGE SMOLEN: Did you answer yes first and then
12 your explanation.

13 BY MR. WATSON:

14 Q. I'm just asking, really, first, did you say
15 that.

16 A. Yes.

17 Q. I think I can find your reference.

18 A. Yes.

19 JUDGE SMOLEN: All right, yes. Now you can con-
20 tinue with your explanation.

21 BY MR. WATSON:

22 Q. And so what I'd like --

23 MR. WATSON: Is it my turn, or did he want to
24 follow up?

25 JUDGE SMOLEN: No, the witness had an explanation.

1 He answered yes to your question, and he had an
2 explanation. So let the witness, if he has one,
3 continue.

4 THE WITNESS: It's based upon two things. First
5 the ion cyclotron resonance hypothesis. But it's also
6 based upon some recent experiments that are now in the
7 abstract process.

8 BY MR. WATSON:

9 Q. Okay. Now, the earth has a magnetic field,
10 correct?

11 A. Yes, it does.

12 Q. And that's a DC magnetic field as distin-
13 guished from an AC magnetic field?

14 A. Compared to the varying magnetic fields that
15 we're talking about, the earth's field is steady; it's a
16 DC field.

17 Q. And our use of electricity as opposed to
18 steady, or direct current, it is alternating current. Is
19 that a fair statement?

20 A. I used the word "time varying."

21 Q. Time varying?

22 A. Yes.

23 Q. Is that commonly called, though, AC, or alter-
24 nating current?

25 A. It can be called that, yes.

1 Q. Now, this line will create 60 Hz sinusoidal AC
2 fields. Is that a fair characterization?

3 A. That's true.

4 Q. Now, is it your testimony that it's the com-
5 bination of these earth's magnetic fields and the 60 Hz
6 sinusoidal AC fields that presents a danger?

7 A. In part. What I've said before, and still
8 maintain, is that the thing that we understand best of
9 all is that when these 60-Hz fields are in combination
10 with local DC fields, we can to a large extent almost
11 predict that there will be biological effects for certain
12 values of the earth's magnetic field.

13 However, the part that we don't yet have a good
14 handle on is the role of the intensity of the 60-Hz AC
15 field. That is to say, it is not included in my predic-
16 tions, in my cyclotron resonance predictions.

17 The peak value of the AC intensity as measured in
18 milligauss is another parameter that we, I think, has to
19 be taken into account in assessing potential hazard.

20 And the most logical thing to do is to suggest
21 that in lieu of anything else, what one should do is to
22 try to minimize the intensity of the AC fields.

23 This is independent of the argument about the
24 relationship between the frequency and the DC or geomag-
25 netic field.

1 Q. Now, is this combination only a danger at
2 specific values of the earth's field?

3 A. I never use the word "danger."

4 Q. Okay. I don't mean to mischaracterize. Why
5 don't you put in whatever words you think are a fair
6 characterization.

7 A. I think there are biological effects which
8 occur at certain combinations of AC -- I'm sorry; certain
9 combinations of frequency, in this case 60 Hz, and the
10 local geomagnetic field.

11 So whatever biological effect that one will find
12 which possibly could go to a hazardous condition would be
13 found under these combinations of frequency and magnetic
14 field.

15 I'm also saying, however, that there is an addi-
16 tional potential problem relating to the intensity of
17 the AC signal.

18 Q. Now, do you know if those values exist in the
19 area along this line, those earth magnetic field values?
20 Have you done a study of that?

21 A. No, I have not.

22 Q. And would it be accurate to say that when you
23 wrote your testimony at that point you didn't have the
24 data on what the magnetic fields on this line would be?

25 A. I hadn't looked at them in a long while. I

1 had seen them some time ago.

2 Q. Did you recall them at the time you were doing
3 your testimony?

4 A. No.

5 MR. WATSON: Your Honor, that's all we have of
6 Dr. Liboff.

7 JUDGE SMOLEN: Ms. Burket, do you have any
8 questions?

9 MS. BURKET: No, I don't, Your Honor.

10 JUDGE SMOLEN: How about counsel for PP&L?

11 MR. DILLON: No questions, Your Honor.

12 JUDGE SMOLEN: Ms. McCloskey?

13 MS. McCLOSKEY: I have no questions, Your Honor.

14 JUDGE SMOLEN: All right.

15 Redirect?

16 MR. SUGARMAN: Thank you, Your Honor.

17

18 REDIRECT EXAMINATION

19 BY MR. SUGARMAN:

20 Q. Dr. Liboff, let me start with the beginning.

21 Mr. Watson asked you to describe physics. And would you
22 describe the branch of physics in which you have special-
23 ized over the last number of years?

24 A. For approximately twenty years now, more than
25 twenty years, I have been in a field that can be

1 described as biophysics.

2 Q. And would you describe what your discipline
3 has been within biophysics, or as part of biophysics?

4 A. It has mainly involved itself with research
5 in the area of bio-electromagnetism.

6 Q. And can you describe to His Honor what is the
7 field of bio-electromagnetism, what does it concern
8 itself with and how does it function?

9 A. It is a classification of an area of science
10 that has not heretofore existed, because it depends upon
11 a number of scientific disciplines, each of which is not
12 sufficient to describe the effects and the interactions
13 in total.

14 So you find in that field not only ex-physicist,
15 but ex-biologists; you find mathematicians, you find
16 electrical engineers, you find endocrinologists, or toxic-
17 cologists, a number of medical doctors, epidemiologists,
18 and people who bring a number of different specialties to
19 this new classification called bio-electromagnetics.

20 In a sense, the area of research has been codified
21 by the fact that there is at least one society that's
22 called the Bio-Electromagnetic Society, which has roughly
23 500 members, that has been in existence now, I think,
24 something like thirteen years.

25 Q. Mr. Watson asked you a series of questions

1 about whether you could extrapolate certain results from
2 some of the studies. And I don't remember which ones
3 they were now. But you remember there were two where he
4 asked you could you extrapolate -- do you remember those
5 questions?

6 (Pause.)

7 Could you extrapolate from the studies to human
8 health effects?

9 A. Well, the one, I think, was on the question
10 of whether if one works with cells in a Petri dish, for
11 example, to what extent is that -- how can you extrapo-
12 late that to human health.

13 And I think I answered that it would be difficult
14 for me to make the extrapolation myself.

15 And the other one was, I believe, had to do with a
16 slime mold, if I'm not mistaken. And there it was even
17 perhaps more difficult to make the extrapolation to human
18 health.

19 And there are many other cases where you see bio-
20 electromagnetic effects in vitro, in a laboratory
21 setting; and the question is, would an effect there be
22 thought of as perhaps something that could reflect itself
23 in humans.

24 Q. Now, Mr. Watson also asked you about whether
25 the experimental studies established the health hazard

1 that you gave an opinion about, whether the laboratory
2 experiments themselves established a health hazard.

3 And if I remember correctly, your answer was, not
4 freestanding. Do you remember that question and answer?

5 A. Yes, I think I used the word "freestanding"
6 because if you look at this material by itself, then it
7 becomes very difficult to draw any sort of important
8 conclusion.

9 But my sense of what happens in this bio-electro-
10 magnetics community is that various inputs like this are
11 weighted to the point where if enough biological studies
12 are available, and enough material which may be in a
13 totally different area is available, then conclusions are
14 drawn which, although not proving, say, potential hazard,
15 certainly tend to provide a basis on which such discus-
16 sions concerning hazard might be more detailed in terms
17 of taking these as real possibilities.

18 Q. And given your testimony as to the presence
19 of people with various training, that is, I think you
20 referred to electrical engineering, physics, biologists,
21 physicians, and maybe other disciplines, a moment ago,
22 how within the field of bio-electromagnetics do the bio-
23 electromagnetics interact with respect to the work
24 done by the different source disciplines, if I can call
25 them that?

1 A. For the most part the people who come to this
2 field who, let's say, might be people in biology, begin
3 using engineering terms.

4 And when a biologist, for example, gets up and
5 delivers a paper at the Bio-Electromagnetic Society, it's
6 uncommon for anybody to get up and question that person's
7 ability to talk about engineering matters.

8 Because what has happened is that the discipline
9 itself has formed a sort of a molding together, or a
10 badgination [phonetic] of so many different disciplines
11 from other areas that it becomes a unique discipline in
12 its own right.

13 So one finds at BEMS meetings a tendency for
14 everyone to listen to everybody else, and in many cases
15 to do work which would be otherwise not thought to be the
16 case if one was an endocrinologist or an engineer, or
17 whatever.

18 Q. And how do you take into account the work of
19 the epidemiologists, and how are you able to form
20 opinions based on their work and on the work of those
21 that did the studies that you described a couple moments
22 ago?

23 A. Well, I do some reading myself, I attend the
24 meetings at which these subjects are reported upon,
25 within the context of the BEMS, mainly, the

1 Bio-Electromagnetic Society.

2 I also, since I know, I guess, most people in this
3 community, I'm not afraid to pick up the phone or to
4 approach somebody when I have questions dealing with
5 these matters.

6 And usually if there is a question as to the
7 nature of a result, or whether or not there's credibility
8 or non-believability, I believe I have the recourse of
9 going to people who have done the work and discussing
10 this with them, the firsthand matter of the way they
11 obtained their results and what the deficiencies of a
12 particular study are, and so forth.

13 Q. Is it customary within the discipline of bio-
14 electromagnetics for authorities such as yourself to rely
15 on each other and their understandings and interpreta-
16 tions of each in that regard?

17 MR. WATSON: Your Honor, objection; leading.

18 JUDGE SMOLEN: It's a leading question.

19 BY MR. SUGARMAN:

20 Q. What is the practice within the discipline
21 with respect to the discipline of bio-electromagnetics
22 with respect to the interaction of the different
23 disciplines?

24 A. There is a mutual reliance.

25 Q. And with respect to the epidemiology, you've

1 testified that you relied primarily on the studies that
2 were recognized by two groups of, I think you said evalu-
3 ation groups; one was Oak Ridge, and the other one was
4 what?

5 MR. WATSON: Your Honor, I object to that. The
6 record speaks for itself, whatever he testified to. I
7 don't have any problem with him referencing the subject
8 matter, but he's putting the content of his interpreta-
9 tion of the testimony.

10 MR. SUGARMAN: I don't mean to impose my
11 interpretation. I'll rephrase the question.

12 BY MR. SUGARMAN:

13 Q. Would you just identify the groups that you
14 spoke of and explain how you rely upon them, or how
15 you've consulted them?

16 A. I've been in contact with, for example,
17 Tim Aldrich and Clay Easterly, who are rather good
18 friends of mine. And I've known these colleagues for a
19 number of years who are at the Oak Ridge National
20 Laboratories who are the epidemiologists who survey this
21 work.

22 I have been in contact at meetings with
23 David Savitz; I have known Nancy Wertheimer for eleven
24 years.

25 Most recently I have been involved with

1 discussions with Dr. Joseph Bowman, of the National
2 Institute of Scientific -- the National Institute of
3 Occupational Safety and Health.

4 And in the same context, as an example of the
5 interaction, I've been asked to come and give a talk at
6 the epidemiological section of NIOSH. Which is indica-
7 tive of the fact that there are no bounds in terms of the
8 epidemiological community and the bio-electromagnetics
9 area from listening to physicists and the like.

10 One of the other speakers there this month is
11 going to be Bill Kawney [phonetic]. Dr. Kawney is a
12 recognized electrical engineer in this area as well.

13 So the boundaries that you normally think of in
14 terms of these disciplines have tended to be broken down
15 in this new discipline of bio-electromagnetics.

16 Q. Could you compare in terms of the way the
17 discipline functions, so that His Honor and the
18 Commission can understand, could you compare the func-
19 tioning of the related disciplines in bio-electromagne-
20 tics to the functioning of physicians as it relates to
21 operations, by way of an analogy?

22 A. I think what you're --

23 MR. WATSON: Objection, Your Honor.

24 JUDGE SMOLEN: I'm going to sustain the objection.
25 The question suggests an answer.

1 MR. SUGARMAN: Well, I was saying compare. It may
2 be different, it may be the same.

3 MR. WATSON: Well, Your Honor, I would suggest
4 that there's no foundation for this. This expert, I
5 think, has stated his qualifications. And among those he
6 excluded was the physician.

7 JUDGE SMOLEN: Yes.

8 MR. WATSON: And so I don't think he can compare a
9 physician, which is he is not doing an operation with,
10 with some other matter.

11 MR. SUGARMAN: Well, I'll lay a foundation. It's
12 very easy to lay a foundation.

13 JUDGE SMOLEN: Then I will sustain that objection.
14 You go ahead; if there are anymore objections, the objec-
15 tion will be made, and I'll rule.

16 MR. SUGARMAN: Thank you.

17 BY MR. SUGARMAN:

18 Q. Dr. Liboff, in response to Mr. Watson earlier
19 you were describing a relationship between, or the parti-
20 cipation of physicians in the work of your department.
21 Do you recall that testimony?

22 A. Yes.

23 Q. And would you describe how that relationship
24 functions without regard to the question I had asked you
25 a moment ago, but in --

1 A. I understand.

2 Q. -- response to Mr. Watson's question.

3 A. I understand what you're saying.

4 There is a basis for interdisciplinary --

5 JUDGE SMOLEN: Let me interrupt. I don't want to
6 interrupt your answer, but --

7 THE WITNESS: Please.

8 JUDGE SMOLEN: The witness has really anticipated
9 the question, because it didn't come out fully, and the
10 record doesn't have a question and it will have an
11 answer.

12 Did you complete your full question?

13 MR. SUGARMAN: I did, I believe.

14 MR. WATSON: I think he referred to my question,
15 and I don't think I have a pending question, Your Honor.
16 So I'm not clear what he's answering.

17 MR. SUGARMAN: I meant the question that
18 Mr. Watson asked on cross examination related to the
19 participation of physicians in the work of Dr. Liboff's
20 department.

21 JUDGE SMOLEN: All right, you're asking this wit-
22 ness to explain the participation of --

23 MR. SUGARMAN: Exactly.

24 JUDGE SMOLEN: -- physicians in your society,
25 right?

1 MR. SUGARMAN: Right.

2 THE WITNESS: No. As I understood the question it
3 was not in the society --

4 BY MR. SUGARMAN:

5 Q. Not in the society, --

6 A. -- but in my faculty.

7 Q. -- in the department.

8 A. My department; my medical-physics occupation.

9 There we have physicians who work next to physi-
10 cists and mathematicians in areas, neurology and the
11 like. And they're in a -- for example, when one today
12 undergoes an MRI scan, the nature of the procedure is
13 partially medical, but it's also partially physical.

14 The physicist cannot have a total understanding of
15 a clinical problem. But on the other hand, the clinician
16 cannot apply the principles of the MRI without depending
17 upon the physicist.

18 So there's a mutual interlocking of disciplinary
19 backgrounds, which only comes about because there's some-
20 thing new which was not there, say, twenty years ago.

21 Q. Now, in those relationships, have you had
22 occasion to become familiar with the interaction that
23 takes place in regard to addressing concerns about a
24 patient; that is to say, about treating a patient?

25 A. I've not only been present when such things

1 are discussed, but I've actually been involved in doing
2 this myself.

3 Q. And can you relate the work of bio-electromag-
4 netics as it relates to transmission lines? Can you
5 compare that in terms of the collegial approach as it
6 compares to the collegial approach in the MRI
7 utilization?

8 A. This collegial approach that you're talking
9 about, which to me means a meeting of a group of people
10 who may come from different disciplines, is particularly
11 the case in the area of bio-electromagnetics.

12 No one comes into the area of bio-electromagnetics
13 born into the area. You have to basically live in it and
14 adopt all the other disciplines and at least know a lit-
15 tle bit about all of them in order to survive in this
16 field.

17 I might add that there are now attempts to try to
18 provide educational backgrounds for students who would be
19 interested in going into this field.

20 But at present I don't think that there is any
21 such thing as a degree in bio-electromagnetics, for
22 example.

23 Q. All right. Now, this relates to -- and I'm
24 going to come back to the question that Mr. Watson asked
25 you in cross examination, and your response, as to the

1 basis of your conclusion, given your testimony which you
2 explained on redirect, that the laboratory experiments do
3 not establish the hazards as a freestanding matter.

4 And given the interdisciplinary, or the collegial
5 character of bio-electromagnetism as a discipline, can
6 you explain why and how as a scientist you referred on
7 cross examination to the three different types of results
8 as the basis of your conclusion that magnetic fields have
9 an adverse health effect?

10 MR. WATSON: Your Honor, I object. It's compound-
11 ing, it's leading.

12 JUDGE SMOLEN: Well, it certainly is compound, and
13 it clearly is leading. Can you simplify it?

14 MR. SUGARMAN: Yes, I'll simplify it.

15 BY MR. SUGARMAN:

16 Q. In light of your prior answers that you've
17 just given, can you describe to His Honor how and why
18 scientifically you rely upon the three different types of
19 results that you described earlier to support your con-
20 clusion that --

21 JUDGE SMOLEN: Well, you don't have to repeat the
22 conclusion.

23 MR. SUGARMAN: Oh.

24 BY MR. SUGARMAN:

25 Q. To support your conclusion with respect to the

1 effect of magnetic fields on health.

2 JUDGE SMOLEN: Very good.

3 THE WITNESS: This is the basis for most of my
4 opinion. It is based not on any one type of evidence,
5 but rather on evidence which apparently, if you'll look
6 at it in its own context, it appears to be disparate.
7 But there is a connectedness.

8 The three areas that I have talked about, I think
9 for about a year now, are the areas of bone cell bone
10 repair, which is therapeutic modality that's used, using
11 ELF magnetic fields; a host of cell work which studies
12 ELF magnetic fields; running from work on lymphocytes,
13 lymphomas, the amoeba we talked about, the fish eggs, the
14 RNA measurements of Goodman, and maybe a dozen other
15 types of experiments, as a group of experiments all emp-
16 loying ELF magnetic fields; and finally, the epidemiolo-
17 gical results, which constitute a third type of evidence.

18 And what I have been acutely conscious of because
19 of the fact that, like others who are in this field, we
20 see this entire picture, what's happened to me is that I
21 think of each of these three areas as connected, even
22 though if you are looking at any one experiment you could
23 not make the extrapolation, as I mentioned earlier to
24 Mr. Watson, that one could extrapolate this to a hazard
25 condition.

1 The common thread running through each of these
2 three areas, that each deals with ELF weak magnetic
3 fields. That is to say, time-varying magnetic fields
4 which have low frequencies, and where the magnitude of
5 the magnetic field is very small; so small as to make
6 skeptics like Professor Adair claim that there can't be
7 any sort of effects.

8 But the fact is that if you are involved with the
9 field, you know there were effects, because you know that
10 you have seen the effects, and you have known respected
11 colleagues who have also seen these effects.

12 They're not supposed to be there, because physi-
13 cally, as yet, there is no explanation.

14 You have the bone therapy -- by the way, which is
15 not explained by any mechanism either, even though hun-
16 dreds of thousands of people have already been treated
17 using these devices.

18 You have the bone therapy, the cell work, and the
19 epi work. And what I have suggested is that since we are
20 talking about weak ELF fields in each case, and since
21 there seems to be evidence in each of these three cases,
22 there very well could be a connection in the three cases.

23 If one pursues this concept, then one comes to the
24 conclusion that the epi work is much stronger, and it
25 appears to be, if you just assume that the epi work

1 exists by itself.

2 The fact of the matter, viewed by myself, who is a
3 BEMS person, is that the epi work is supported by work in
4 the cells, supported by work in bone therapy, and basic-
5 ally supported by dozens of other examples which are
6 taken from lab work and from clinical work. And to me,
7 that's a very strong argument.

8 BY MR. SUGARMAN:

9 Q. Now, Mr. Watson asked you about whether path-
10 ways have been established; and you indicated, correct me
11 if I'm wrong, that pathways had not been established?

12 A. He asked me, I believe, that had the pathways
13 been established in the case of melatonin deficiency or
14 dysfunction, leading to cancer.

15 And I replied, to the best of my knowledge this
16 pathway has not been established.

17 Q. In scientific history and scientific practice,
18 is it necessary to know the pathway before reaching a
19 conclusion, a scientific conclusion as to whether there
20 is a cause and effect?

21 A. No. I'm sure that there are many examples in
22 which there is a cause, and then there is some unknown
23 pathway, and then there's some sort of resulting physio-
24 logical effect.

25 The example that everyone who teaches this sort of

1 thing uses is aspirin.

2 Q. Aspirin?

3 A. Aspirin has been known for a long time.

4 Exactly how it alleviates a headache is not known.

5 Q. Is it known today?

6 A. I don't believe the headache-curing properties
7 are known. But what is better understood is its blood-
8 thinning properties.

9 Q. And has it been -- and this may be an obvious
10 question; but has it been recommended by science, or
11 concluded by -- that's such an obvious question. Let me
12 ask you this.

13 Has the scientific community accepted that aspirin
14 has an effect on headaches despite not knowing the
15 pathway?

16 MR. WATSON: Your Honor, objection. This is
17 beyond his area of expertise.

18 JUDGE SMOLEN: Yes.

19 MR. SUGARMAN: It's not, Your Honor, because the
20 cross examination as to the effect that pathways are not
21 known; and the implication was that if pathways are not
22 known in some cases, then cause and effect is not
23 established.

24 JUDGE SMOLEN: The witness has already testified
25 to the effect that you don't need a pathway.

1 Is that right?

2 THE WITNESS: Yes, sir.

3 JUDGE SMOLEN: And aspirin is clearly not within
4 the Commission's jurisdiction, except that the ALJs use
5 it a lot.

6 (Laughter.)

7 MR. SUGARMAN: Well, Your Honor, I would suggest
8 you consider Tylenol too.

9 (Laughter.)

10 JUDGE SMOLEN: That's better on the stomach.

11 MR. SUGARMAN: Right.

12 JUDGE SMOLEN: But not as good for the heart.

13 MR. SUGARMAN: Right.

14 (Laughter.)

15 MR. SUGARMAN: All I'm trying to do is estab-
16 lish --

17 JUDGE SMOLEN: I know what you're trying to do.

18 MR. SUGARMAN: I was trying to ask the witness
19 whether the scientific community, not just the lay
20 people, not just the world at large, but does the scien-
21 tific community concur? Is there a consensus in the
22 scientific community that you don't need a pathway to
23 establish cause and effect.

24 JUDGE SMOLEN: I think you asked that. I think it
25 was asked and answered.

1 MR. WATSON: Yes.

2 MR. SUGARMAN: All right.

3 MR. WATSON: And I object to this. It's leading;
4 and secondly, the witness is not an expert on all
5 science.

6 MR. SUGARMAN: He doesn't have to be.

7 MR. WATSON: And therefore I don't think he can
8 testify as to the --

9 JUDGE SMOLEN: Wait a minute, I think that was
10 asked and answered.

11 MR. WATSON: He hasn't purported to be.

12 MR. SUGARMAN: He doesn't have to be. He's an
13 expert on the scientific method.

14 JUDGE SMOLEN: Wait a minute, I think we're argu-
15 ing over nothing here. It was asked and answered.

16 MR. SUGARMAN: All right. My question goes to
17 scientific method. I will ask it a different way.

18 BY MR. SUGARMAN:

19 Q. Is there a consensus, without respect to
20 aspirin specifically, but with respect to scientific
21 method and scientific practice -- which Mr. Watson asked
22 you about at some length this morning.

23 Is there a consensus within the scientific com-
24 munity to reach conclusions about cause and effect with-
25 out knowing pathways?

1 MR. WATSON: Objection, Your Honor; it's leading.

2 Secondly --

3 MR. SUGARMAN: It's not leading. It's either yes
4 or no. How can that be leading?

5 JUDGE SMOLEN: It's leading because it is answer
6 yes or no.

7 MR. WATSON: Exactly right.

8 (Laughter.)

9 JUDGE SMOLEN: You've taken the ruling out of my
10 hands.

11 (Laughter.)

12 MR. SUGARMAN: No, Your Honor, it's only leading
13 if it suggests one answer or the other. To ask a yes or
14 not question is not leading.

15 JUDGE SMOLEN: Well, it is suggesting an answer
16 though. You don't need a pathway.

17 MR. SUGARMAN: I wasn't suggesting an answer.

18 JUDGE SMOLEN: Yes you were. In my view you were.
19 So I sustain the objection.

20 MR. SUGARMAN: I'll rephrase the question then.

21 JUDGE SMOLEN: All right.

22 BY MR. SUGARMAN:

23 Q. What is the scientific -- what is the consen-
24 sus, if there is one, within the scientific community
25 with respect to the need for a pathway in order to reach

1 a conclusion on cause and effect?

2 MR. WATSON: Your Honor, I object. This expert
3 has not come on and even in any way purported to qualify
4 himself as an expert in all fields of science. Only
5 within his own area.

6 JUDGE SMOLEN: Well, would you qualify within the
7 field that this witness is an expert in?

8 MR. SUGARMAN: Within the field. I'll ask the
9 question within the field of physics, and within the
10 field of biophysics.

11 Shall I restate the whole question?

12 JUDGE SMOLEN: The witness understands the ques-
13 tion, I'm sure.

14 MR. WATSON: This is fine. If he just limited it
15 to that, I have no problem.

16 MR. SUGARMAN: Okay.

17 JUDGE SMOLEN: Do you remember the question?

18 Surely you do. We'll give you an aspirin.

19 (Laughter.)

20 THE WITNESS: Actually, within the area of phy-
21 sics, that's a bad example of what you're trying to say.

22 JUDGE SMOLEN: Well, answer it anyway.

23 THE WITNESS: In physics, normally pathways are
24 demanded. And for that reason, when one goes into the
25 cyclotron resonance hypothesis, more is asked of the

1 scientist.

2 But in the biological community, and in the bio-
3 physics area that I have seen, the question is really --

4 MR. WATSON: I think this goes to --

5 THE WITNESS: -- efficaciousness.

6 JUDGE SMOLEN: No, no, let him go.

7 THE WITNESS: You want an efficacious solution. A
8 person has a headache, you're trying to cure cancer,
9 whatever. You don't have to be a clinician to understand
10 that the most important thing is to do something to help
11 the patient.

12 So AIDS people are given AZT, or whatever. No one
13 has the foggiest notion how AZT might help or not help.
14 The fact is that, the rule of thumb is efficaciousness.

15 So pathways become less of a -- of less interest
16 to clinicians and of more interest to scientists.

17 I hope this answers what --

18 BY MR. SUGARMAN:

19 Q. Okay. His Honor was asking you earlier about
20 the interpretation of some testimony that you gave in
21 response to Mr. Watson about the difference between elec-
22 tric fields and magnetic fields.

23 And the question His Honor was asking was related
24 to whether the fields differ from point to point, or
25 whether there's a wall at which they either are or

1 aren't.

2 A. Yes, I remember that.

3 Q. I believe you answered that question. But
4 could you very quickly just restate, so it's clear, what
5 your answer is to that question?

6 MR. WATSON: Objection, Your Honor; repetitive,
7 asked and answered.

8 JUDGE SMOLEN: Well, I'm going to permit this.
9 I'll permit it.

10 THE WITNESS: Along the -- the way I think of a
11 power line is as a -- in this case, as I remember it,
12 there is a three-phase configuration.

13 Without getting too involved in the details, one
14 can think of the power line as a single line, for exam-
15 ple, in which all three phases are combined, all three
16 wires are combined; and surrounding that a cylinder.

17 And the cylinder effectively gives you the level
18 of magnetic field surrounding the axis of this power
19 line.

20 JUDGE SMOLEN: If you're outside the cylinder,
21 you're no longer in the field, is that correct?

22 THE WITNESS: No, it's not about --

23 JUDGE SMOLEN: Okay.

24 THE WITNESS: This is what I was trying to explain
25 before.

1 JUDGE SMOLEN: Right.

2 THE WITNESS: The cylinder only gives you the
3 level of magnetic field. Over that entire cylinder, the
4 level of that magnetic field, let's say, is 100 mG.

5 But then if you go out to a larger cylinder, the
6 level might fall to 40 mG. And if you go 300 feet away
7 it might be 2 mG.

8 No matter how large this cylinder is made, there
9 will be a magnetic field which stretches out, effec-
10 tively, to infinity.

11 JUDGE SMOLEN: No matter how infinitesimal --

12 THE WITNESS: That's right.

13 JUDGE SMOLEN: -- the field is?

14 THE WITNESS: There will be a field there.

15 Practically speaking, you might not be able to measure
16 it. But one should think of a magnetic field caused by a
17 wire as extending to infinity.

18 I must caution the Bench, however, there. I deli-
19 berately simplified the nature of the transmission line.
20 Because the transmission line actually consists of a
21 number of current carriers.

22 And so what was done, I think, by the computer
23 code that was used in this case to judge the magnetic
24 field was to take into account the contributions from
25 each of these lines, and then you get a sum effect. So

1 that at 100 feet you'd have a certain milligauss level,
2 at 200 feet a certain lower milligauss level, and so
3 forth. But that field never disappears completely.

4 BY MR. SUGARMAN:

5 Q. All right. Now, you also, in response to
6 Mr. Watson at that time, were explaining the difference
7 between magnetic fields and electric fields.

8 And you were referring to the human, the differ-
9 ence as it relates to the field outside and inside the
10 humans.

11 And without comparing again, because I think
12 that's what got us off the track, what was it that you
13 were trying to say about the nature of magnetic fields as
14 it relates to the human versus the air outside the human?

15 A. That the magnetic field outside the human is
16 the same as inside the human.

17 Q. So taking, in response to His Honor's ques-
18 tion, say, 40 feet from -- in the 40-foot cylinder that
19 you described.

20 A. Yes.

21 Q. The inner cylinder, all right? Would it be
22 the case that the amount of magnetic field would be the
23 same on the edges of that 40-foot cylinder whether or not
24 there's a human there? And if the human were standing on
25 that 40-foot it would be the same inside him as outside

1 him?

2 A. That's right.

3 Q. Is that what you were trying to say before?

4 A. That's what I was trying to say.

5 Q. Okay. Whereas with electric fields --

6 A. With electric fields there is an attenuation,
7 usually, of the field inside of the human, because of the
8 conducting fluids that we have inside of us.

9 Q. In other words, we have our own electrical
10 conductors that would interact with the electric field
11 somehow?

12 A. We have our own electrical conductors that
13 distort the electric field. But these cannot distort the
14 magnetic field.

15 Q. Okay. Now, coming back to the question of
16 the effect of the magnetic fields on human health, have
17 you published your conclusion with respect to the effect
18 of electromagnetic fields on human health?

19 A. I have written about this.

20 Q. Has it been published?

21 A. Yes, it has.

22 Q. And in what form has it been published?

23 A. I had one article, which was a review article,
24 in which I discuss this material in the book by Wilson,
25 Stevens and Anderson that was referred to by Mr. Watson.

1 I think the name of the book is "ELF Fields, The Question
2 of Cancer," or something like that.

3 Q. And what is the conclusion that you expressed
4 in that article, in that book?

5 A. I made the argument in that chapter in that
6 book that one could look to the ion cyclotron resonance
7 mechanism, or hypothesis, as a potential explanation for
8 the untoward effects that had been reported by
9 epidemiologists. That is, a combination --

10 Q. By "untoward," what do you mean?

11 A. The question is relating to increased leukemia
12 incidence in the vicinity of power lines.

13 Q. All right. Now, Mr. Watson asked you about
14 replication both in general and, I'll call it, quasi-
15 replication, or whatever you want to call it, efforts to
16 repeat or somehow modify the experiments without confin-
17 ing to whether they were efforts to exactly repeat.

18 He asked you about the rabbit work, and you
19 mentioned the company in Phoenix which has licensed your
20 technology?

21 A. That's right.

22 Q. First of all, what is the technology designed
23 to do that they have licensed?

24 A. The primary patent that we have suggests that
25 the flow or passage of ions across membranes is

1 determined by these cyclotron resonance conditions.

2 And so by tuning to specific ions we have sugges-
3 ted that it is in principle possible to control the flow
4 of ions across membranes.

5 Q. And is this a branch or a subset of the
6 effects of electromagnetic fields?

7 A. Yes, it certainly is a subset --

8 Q. The application, I should say.

9 A. -- of bio-electromagnetism, yes.

10 Q. And has that company -- you indicated to
11 Mr. Watson that you're not aware of the details of their
12 work. Have you been furnished any information as to the
13 results of their work with respect to your work on the
14 effects of electromagnetic fields on biological systems?

15 A. Well, I know that they have been applying
16 these fields to humans in the course of an FDA study.
17 And that without knowing the exact performance specifica-
18 tions, I am told that the application of this principle
19 with a device that they have manufactured to go on
20 humans, and there's about a hundred humans under treat-
21 ment, has been successful.

22 MR. WATSON: Your Honor, I move to strike that.
23 That's rank hearsay. There's no way we can cross-examine
24 on the results that he says he's been told.

25 MR. SUGARMAN: Well, Your Honor, there must have

1 been fifty times that Mr. Watson asked him what he had
2 been told about various studies. An expert is entitled
3 to consider hearsay information that goes to the weight.

4 JUDGE SMOLEN: Well, he's entitled to consider
5 hearsay information in reaching his conclusions.

6 MR. SUGARMAN: Right.

7 JUDGE SMOLEN: But here you are attempting to put
8 in the validity of the conclusions.

9 MR. SUGARMAN: I understand what Your Honor is
10 saying.

11 JUDGE SMOLEN: Which is a little different.

12 MR. SUGARMAN: Let me rephrase the question.

13 JUDGE SMOLEN: All right. So the objection is
14 sustained. Rephrase the question.

15 BY MR. SUGARMAN:

16 Mr. Watson asked you in regard to the opinions
17 that you expressed in your testimony on the effects, on
18 your ion resonance hypothesis, whether it has been
19 confirmed. Do you remember those questions?

20 A. Yes.

21 Q. And he asked you about information that you
22 had received from others, and you've become aware of
23 through hearsay, on whether your thesis had been
24 confirmed. Do you remember those questions?

25 Q. Now, have you received, in addition to the

1 specific questions that he asked you which related to
2 some of the sources of information, and you didn't try to
3 go beyond his questions, but Mr. Watson asked you whether
4 you were aware of the work down there in Phoenix.

5 I'm going to ask you whether you're aware of the
6 results, and whether those results, as reported to you,
7 have tended to confirm your hypothesis with respect to
8 ion resonance.

9 A. Yes.

10 MR. WATSON: Same objection, Your Honor. That's
11 exactly the same thing. It gets to the same place. We
12 have no way of knowing his reported results in some
13 experiment involving some patent that he has.

14 MR. SUGARMAN: First of all, it's not some patent.
15 Any kind of rhetoric like that is inappropriate. But you
16 asked him about it.

17 Your Honor, Mr. Watson asked him about it on
18 cross, whether he was aware of the work that was being
19 done on these rabbit work.

20 Mr. Watson said, is that the work that's being
21 done in Phoenix, and he asked the witness to comment on
22 it.

23 He also asked the witness at least fifty ques-
24 tions --

25 JUDGE SMOLEN: Oh, I don't think he ever asked the

1 witness to comment on anything. That's not the nature of
2 cross examination.

3 MR. SUGARMAN: I'll rephrase my characterization.
4 He asked the witness questions about the work that was
5 being done in Phoenix.

6 He also asked the witness at least fifty questions
7 about other work done in other laboratories by other
8 people, both by name and otherwise, as to the four or
9 five different experiments, or types of experiments,
10 which Dr. Liboff has performed himself; and asked in each
11 of those cases whether other laboratories had correlated
12 it.

13 Now, if I'm not going to be permitted to ask on
14 redirect about the same subject, then I would move to
15 strike all the questions that Mr. Watson had asked.

16 JUDGE SMOLEN: Well, I'm not sure that the Phoenix
17 study is an attempt to corroborate this witness's
18 hypothesis.

19 MR. SUGARMAN: The same as all the ones that
20 Mr. Watson asked him about. The very same. But he
21 didn't ask him for the results of the Phoenix study,
22 because he didn't want to hear the results.

23 MR. WATSON: Well, Your Honor, I didn't ask him
24 the results because we asked in the deposition, have you
25 reviewed those data, and he said, not personally I have

1 not done so. So we couldn't get the information, there-
2 fore we couldn't ask him about it.

3 MR. SUGARMAN: Your Honor --

4 MR. WATSON: And now --

5 JUDGE SMOLEN: One voice.

6 MR. WATSON: And now it's coming as some attempt
7 to resurrect it. But I believe Dr. Liboff said in the
8 deposition he hadn't personally reviewed the data. That
9 was the answer. And so we didn't ask him about it
10 because we obviously saw that he hadn't read it.

11 MR. SUGARMAN: Your Honor, that's just as dis-
12 ingenuous as everything else.

13 JUDGE SMOLEN: Oh, come on.

14 MR. SUGARMAN: Honestly, Your Honor.

15 JUDGE SMOLEN: Just answer with --

16 MR. SUGARMAN: He asked him at least fifty ques-
17 tions this morning about results of the work that the
18 witness had not personally reviewed it. At least fifty
19 questions.

20 JUDGE SMOLEN: Let's start from scratch in this
21 case right now. I'm going to sustain this objection and
22 I'm going to let you rephrase the question.

23 MR. SUGARMAN: Thank you, Your Honor.

24 JUDGE SMOLEN: Let's do it again and see what you
25 do with it this time.

1 BY MR. SUGARMAN:

2 Q. Has it been reported to you -- Mr. Watson
3 asked you a series of questions about reports that you
4 had received about work done in London, England; London,
5 Ontario; Rochester, New York; Berkeley, California; and
6 other laboratories around the world.

7 Now, he asked you about the results of that work.
8 Now, I want to ask you about the results of the work that
9 was done in Phoenix and the reports that you have
10 received relating to that work in the same vein.

11 A. There have been many confirmations of the
12 cyclotron resonance work in experiments done since this
13 concept was introduced, since this hypothesis was
14 introduced.

15 This morning I spoke in answer to questions on
16 some of the negative studies. But the fact is there have
17 been a lot of positive results.

18 There was a positive result reported in Neuro-
19 Science Letters by Dr. Reiter in which melatonin was
20 changed at calcium cyclotron resonance conditions.

21 The work at Iatra-Med [phonetic] that you're
22 referring to in your question represents on the whole a
23 positive confirmation of my model.

24 As I said, I don't have the details, but it has
25 proceeded to the point where the owners of the company

1 have told me that they expect no problem in going forward
2 with FDA approval.

3 And since the device is based upon a cyclotron
4 resonance mechanism, I have to assume that that's a
5 confirmation.

6 I also have had -- we've also had a confirmation
7 of the diatom results that were mentioned this morning in
8 work done by a person called Dr. Jude Reese, at Bitell
9 [phonetic] National Laboratories, who repeated some of
10 the work that we had done on diatoms.

11 We've also had confirmation of this work by the
12 Berkeley group. Even though there is a difference in
13 some details of the experiment having to do with mito-
14 gens, that were discussed this morning, the basic fact of
15 the matter is that when human lymphocytes are exposed to
16 cyclotron resonance combinations there are very large
17 swings in calcium efflux.

18 And this goes to the heard of what I discovered a
19 couple of years ago. And so that to me represents by and
20 large a pretty good confirmation.

21 I've also heard from Frank Pradio, at the
22 University of Western Ontario, that although he did not
23 obtain the results on lymphocytes, he has other evidence
24 now showing that he does get cyclotron resonance effects
25 under other conditions.

1 So I take this to mean that there is some negative
2 evidence which basically doesn't find cyclotron resonance
3 effects, but there is much that does.

4 MR. WATSON: Your Honor, I'm going to move to
5 strike all of the answer except the portion that
6 addresses the question of the people at Phoenix.

7 JUDGE SMOLEN: I'm going to overrule. Let's go to
8 the next question.

9 BY MR. SUGARMAN:

10 Q. Now, you've characterized the Rochester group,
11 and you've said that they don't do science the way you
12 do. And you said you were being blunt.

13 But I want to ask you, do you have an opinion as
14 to -- and maybe it was implicit. But I want to know
15 explicitly so it's not left unclear.

16 When you say have a way of doing science which is
17 not the way you do it, do you have an opinion as to the
18 relative merits of the way they do science versus the way
19 you do science? Is it the same, is it better or is it
20 worse?

21 A. Mr. Sugarman, I'd rather not answer that
22 question.

23 Q. Okay. Mr. Watson showed you a copy of the
24 transcript of, or a portion of the transcript of your
25 testimony last week --

1 JUDGE SMOLEN: You mean his deposition?

2 MR. SUGARMAN: The deposition, yes. Thank you,
3 Your Honor.

4 Do you have the reference, the one about the
5 totally subjective, or whatever? Can you give me a page
6 reference?

7 MR. WATSON: I was just going to ask you for it.

8 MR. SUGARMAN: I had written down 184. But the
9 problem I have is the deposition ends at 161.

10 (Laughter.)

11 MR. WATSON: Let me see a minute if we can find it
12 for you.

13 (Pause.)

14 MR. SUGARMAN: Here it is, 145.

15 JUDGE SMOLEN: All right, we're at page 145.

16 MR. WATSON: Yes, lines 19 and 20.

17 MR. SUGARMAN: Seventeen through 20, yes.

18 (Document handed to witness.)

19 BY MR. SUGARMAN:

20 Q. Dr. Liboff, in the transcript it's cited as
21 follows: "I think it's a non-scientific," referring to
22 an article in the Battle Creek Inquirer, by Dr. -- what's
23 his name?

24 A. Kohl.

25 Q. Kohl. You were asked, "Is that an example of

1 a hysterical non-scientific evaluation of the epidemiolo-
2 gical data." That was the question.

3 Your answer; "Not completely. I think it's a non-
4 scientific evaluation because it obviously reflects an
5 interest which is not totally objective."

6 Do you agree with that statement?

7 A. Yes, I said that.

8 Q. Okay. And is that still your opinion?

9 A. Yes.

10 Q. All right. Now, the next sentence, as tran-
11 scribed, reads, quote, "Anything which is not totally
12 subjective has to be considered non-scientific," end of
13 quote.

14 Now, do you hold that opinion?

15 A. I do not.

16 Q. Did you say that?

17 A. I did not say that.

18 Q. Now, if the word "not" were removed, so that
19 the sentence reads, "Anything which is totally subjective
20 has to be considered non-scientific," would that repre-
21 sent your opinion?

22 A. That represents my opinion, yes.

23 Q. "Anything which is totally subjective has to
24 be considered non-scientific"?

25 A. Yes.

1 Q. Okay, thank you.

2 Do you think that's what you probably said last
3 week, to the best of your recollection?

4 A. I don't -- I can't recall.

5 Q. Now, Mr. Watson asked you whether you agree
6 with PAUSE that the line should not be energized?

7 A. Yes.

8 Q. And your answer was yes. Would you state the
9 basis of your agreement in that regard?

10 A. I've looked at the calculations on the mag-
11 netic fields at the edge of the right of way, and I con-
12 clude that even under a moderate load the magnetic field
13 is just surprisingly high.

14 And I've been asked a number of times to come and
15 get involved in situations like this where a line is
16 either under consideration, or other aspects of the line,
17 and I don't believe I've ever seen a case where I believe
18 the numbers I saw were, at the edge of the right of way,
19 something like 50, 60 mG. They're very, very high.

20 I think that as a rule one finds from transmission
21 lines magnetic fields at the right of way, which includes
22 in this case, as I understand it, the yards of people's
23 homes, fields which are 10 mG and below.

24 The fields in this case are just too large.
25 They're abnormally large.

1 I couldn't help but wonder if -- this is obviously
2 an expedient thing to do, to take an existing right of
3 way with some poles that can be used and to put a line on
4 it. But if one were trying to put a 230-kv line in from
5 scratch, there would be a much larger right of way.

6 So I find myself more than a little disturbed
7 about the level of the magnetic field that would result
8 in people's homes and yards.

9 Q. Now, you were asked about confounders in the
10 epidemiological literature, and you expressed the opinion
11 in response to Mr. Watson that you thought that looking
12 for confounders was good?

13 A. I did.

14 Q. And a confounder -- if I'm using the wrong
15 combination of words, would you please correct me? But
16 as I understand it, if somebody were to find the nature
17 of --

18 MR. SUGARMAN: Well, let me rephrase it, if I may,
19 Your Honor.

20 BY MR. SUGARMAN:

21 Q. Would you explain to His Honor what confoun-
22 ders are in epidemiology?

23 A. You have two variables that you're trying to
24 see if there's a connection between. In this case there
25 is the connection of, say, childhood leukemia; and the

1 other variable is often wire code, a whole set of cir-
2 cumstances dealing with wires.

3 Q. Is that another way of saying electromagnetic
4 fields?

5 A. Well, that's a separate question, in a way.
6 Let me just finish this question that --

7 JUDGE SMOLEN: You're talking about some study
8 which was performed using wire codes?

9 THE WITNESS: Yes. Most of the people who deal
10 with the discussion of this area talk about the wire
11 codes.

12 Now, the way I view correlations in epidemiology
13 or in a laboratory, or in any other context, is that
14 there are two variables and you're trying to get a rela-
15 tionship between these two variables; leukemia death and
16 the nature of the wire code.

17 A confounder would be something that basically
18 fools the epidemiologists, so that that person thinks
19 that what he's studying is wire code, for example, but
20 he's really studying, as one study suggested, traffic
21 density nearby.

22 Or another example would be the level of income.
23 Presumably, if you're rich enough to buy a big house,
24 you're going to buy one where there's no power line
25 nearby.

1 I don't mean any disrespect for the power company
2 in that, in a sense, but it's a fact of life that from an
3 aesthetic standpoint one would rather not be near a power
4 line.

5 So it's conceivable that some of these epi studies
6 have resulted in correlations in which the leukemia
7 deaths might be confounded, not with the power line, but
8 with what the power line really relates to; traffic den-
9 sity, income, or questions like that. Or perhaps formal-
10 dehyde, or some chemical basis that is connected with the
11 poles, maybe to the pitch on the poles that is a chemical
12 thing.

13 Now, on the other hand, the confounder may be
14 something else which is magnetic. And that's an inter-
15 esting aspect of this confounding.

BU 16 In other words, what I'm suggesting is that the
17 wire code may be only an incident parameter. It may
18 reflect the magnetic field in a sort of loose way, but it
19 might be that there are parameters dealing with a mag-
20 netic field, that once you tease them out and understand
21 them, then you can make a very strong correlation between
22 a certain type of magnetic field and leukemia deaths.

23 So the confounder concept can be used both ways.
24 It can be used to essentially tear down the problem of
25 magnetic fields causing cancer, or it can be used

1 ultimately to make the problem more possible.

2 BY MR. SUGARMAN:

3 Q. All right. So would it be fair to describe
4 confounders as the same thing as hidden variables in lay
5 terminology?

6 A. That's a good term.

7 Q. Okay. So again, for an example, the tobacco
8 dispute, the tobacco companies assert that the real cause
9 of the cancer is not the smoking, but some other environ-
10 mental factor, whatever, related to the people?

11 A. Yes. There was a famous --

12 Q. Is that an example of the debate that --

13 A. Let me illustrate what you're saying, because
14 that's a -- I hadn't thought about that. That's a very
15 good example, because at one point in the '50s, I think,
16 or the '60s, there was an attempt to show that tobacco
17 leaves were essentially radioactive, because they con-
18 tained polonium, radioactive polonium.

19 And so many studies were done in which tobacco
20 leaves were examined to see how radioactive they were.
21 And people claimed for a while that what was happening is
22 that the smoking of radioactive materials was the basic
23 cause of the cancer and not the tobacco per se. That's a
24 confounder.

25 Q. Okay. Now, with respect to the

1 epidemiological studies that you referred to in your
2 direct testimony, and that you were asked about on cross,
3 and specifically, the Wertheimer and Savitz studies, has
4 anybody purported in the literature to identify a con-
5 founder or confounders which, to use your word, destroy
6 or demolish the correlation between E/MF and cancer?

7 A. Well, the very --

8 MR. WATSON: Objection, Your Honor. It assumes
9 facts not in evidence. There's no testimony that there
10 is a correlation there by Dr. Liboff.

11 And in any event, the two studies referred to used
12 wire codes, which are not the same as measured magnetic
13 fields.

14 So there is also an assumption in the question of
15 facts that are not in evidence and not established.

16 JUDGE SMOLEN: All right, stop. I'm going to give
17 you a chance, but -- say what you want to say.

18 MR. SUGARMAN: I just want to say that every time
19 I raised an objection to Mr. Watson assuming facts in his
20 questions, I was overruled.

21 And if I mis-assumed a fact in my question, I
22 assert that I have the same license that Mr. Watson does.

23 JUDGE SMOLEN: There's a different standard on
24 cross examination and on redirect.

25 MR. SUGARMAN: I know there is.

1 JUDGE SMOLEN: Therefore I'm going to sustain the
2 objection. You're not precluded from questioning on this
3 area, but try it a different way.

4 MR. SUGARMAN: All right, I'll rephrase the
5 question.

6 BY MR. SUGARMAN:

7 Q. In your direct testimony, did you characterize
8 the Wertheimer and Savitz epidemiological work?

9 A. I don't remember now, but I did discuss it.
10 I forgot the contents.

11 Q. Well, let me show you your direct testimony
12 on page three, and the first full paragraph, the first
13 six lines of the first full paragraph.

14 (Document handed to witness.)

15 A. Page three?

16 Q. Yes.

17 A. Okay. Oh, yes, okay.

18 Q. Okay. Now, do you recall Mr. Watson asking
19 you about the Wertheimer study and your response that
20 Savitz, as you say in your direct testimony, in essence
21 verified it?

22 MR. WATSON: Your Honor, objection. I didn't ask
23 him about that Wertheimer study. There was some confu-
24 sion over the reference to it.

25 JUDGE SMOLEN: You're asking about a study by

1 Wertheimer on the next page.

2 MR. WATSON: That's correct, Your Honor.

3 JUDGE SMOLEN: On page four.

4 MR. WATSON: That's correct, Your Honor. And so
5 this is beyond the scope of cross.

6 JUDGE SMOLEN: All right.

7 MR. WATSON: And I didn't ask him about the Savitz
8 study either.

9 MR. SUGARMAN: All right, I will withdraw the
10 question and go on to another subject.

11 BY MR. SUGARMAN:

12 Q. Now, you testified in response to Mr. Watson
13 that the -- what do you call it, the line code?

14 A. Wire code.

15 Q. The wire code was related to some -- was at
16 issue, or was the variable that was utilized in some of
17 these studies. Do you recall that testimony in response
18 to him?

19 A. That's right.

20 Q. Now, does the use of the wire code, in your
21 opinion, affect the relevance of the studies where wire
22 code was used as the variable for electromagnetic fields,
23 or in regard to electromagnetism?

24 A. The wire code serves to provide a possible
25 connection to magnetic fields, which has been looked at

1 in terms of individuals going into homes and simultane-
2 ously, as they measure wire code, also measure magnetic
3 fields in the homes.

4 And the argument is that the measurement of magne-
5 tic fields does not correlate with leukemia, whereas the
6 wire code does.

7 But if one looks at that data, I regard that as
8 incomplete in the sense that there are maybe six or seven
9 different ways of measuring magnetic field; and there is
10 nothing to say that any one measurement of magnetic
11 fields should correlate.

12 So it's a hard thing to explain, but perhaps I
13 could give an example. If I were to say that -- and the
14 reason I know the example is because I gave this to some
15 students a week or two ago.

16 If I were to say that the height of waves was
17 correlated with the number of canoe capsizes -- it's a
18 good example, in a way, because waves, of course, are
19 present in electromagnetism and in water.

20 And somebody went out and decided to measure the
21 number of canoe capsizes as a function of the height of
22 waves, all they had to do was point their canoe in the
23 direction that was perpendicular to the wave fronts.

24 And if you've ever been in a canoe, you know that
25 the canoe tends to be more stable when the canoe is

1 pointing at 90 degrees to the waves.

2 On the other hand, if your canoe was moving paral-
3 lel to the waves, there's a great chance of capsizing.

4 So just measuring the height of the waves in that
5 case would not give you a clear indication of capsizing.
6 You would have to point the canoe in the direction that
7 was consistent with not capsizing to begin with.

8 So what I'm saying is that just because a number
9 of groups have gone into homes and measured magnetic
10 fields and claimed that in all of them wire code cor-
11 relates with cancer, these measurements of magnetic
12 fields do not correlate with cancer, this is insufficient
13 evidence to convince me that it is not the magnetic
14 field. Because one can conceive of seven different types
15 of magnetic fields that might conceivably be measured.

16 Q. Now, Mr. Watson asked you about the New York
17 final report of the scientific inquiry as related to the
18 Wertheimer study regarding pregnancy and cancer and elec-
19 tromagnetic fields.

20 A. I was asked that question.

21 Q. Am I stating it correctly?

22 A. There was some confusion about that.

23 Q. Yes. And you indicated that to the best of
24 your recollection they were being done on overlapping
25 time frames?

1 MR. WATSON: Your Honor, objection. I think we
2 have the same problem before, that he wasn't asked about
3 Wertheimer and any cancer studies on direct. He was only
4 asked about the reproductive study on the next page.

5 MR. SUGARMAN: That's what I meant, the
6 reproductive. Oh, I'm sorry, I meant the reproductive
7 study. Excuse me.

8 BY MR. SUGARMAN:

9 Q. You indicated that to the best of your recol-
10 lection that they were overlapping in time, is that
11 correct?

12 A. What I don't remember --

13 Q. The reproductive study.

14 A. -- in that report is a specific mention of
15 the Wertheimer work on reproductive studies. There were
16 measurements of reproductive studies done by some speci-
17 fic investigators; at least one I can remember. But I
18 don't remember any conclusion concerning the Wertheimer
19 work. The Wertheimer work connected to electric
20 blankets.

21 Q. All right. And you were asked by Mr. Watson
22 as to your knowledge of some science review panel that
23 was appointed by the EPA to review the EPA study?

24 A. Yes.

25 Q. Has the EPA study been published in final form

1 at this time?

2 A. Neither the EPA study nor the various review
3 documents have been finalized.

4 Q. At the time that the EPA draft study was pub-
5 lished, had it been peer-reviewed at that time?

6 A. No.

7 Q. Who had prepared the study?

8 A. A panel of both EPA scientists and some exter-
9 nal scientists.

10 Q. The scientists, or the members of the review
11 panel, was the membership of that body affected, or was
12 it changed after the intervention of the president's
13 science advisor, as indicated by the memorandum that you
14 described?

15 A. I have some confusion, quite frankly, as to
16 what happened. There was a brouhaha that made the news-
17 papers and people talked about in terms of the way in
18 which that panel was going to be selected.

19 There was one group that was suggested by
20 Dr. Bromley. But I think in questioning this morning,
21 Dr. Watson asked me if that panel was ever empaneled, and
22 I think the answer I gave was that to the best of my
23 knowledge it was not empaneled.

24 So there was another group that was somehow formed
25 after this that was not the Bromley group, but some other

1 group.

2 JUDGE SMOLEN: Let me just say, I don't know if
3 Mr. Watson is Dr. Watson or not.

4 (Laughter.)

5 MR. SUGARMAN: He didn't object, so I decided to
6 let it go.

7 JUDGE SMOLEN: There's a jurist doctor, so you can
8 call him Dr. Watson.

9 MR. WATSON: I thought I got a promotion. I
10 appreciated it.

11 JUDGE SMOLEN: Well, I know Sherlock Holmes is
12 next to you.

13 (Laughter.)

14 THE WITNESS: By the way, among the members of
15 that panel that was suggested by Dr. Bromley was
16 Dr. Adair, who we were talking about earlier.

17 So the suggestions by Dr. Bromley were obviously
18 stacked to really come to a prior conclusion before the
19 panel even met.

20 What Dr. Bromley apparently had tried to do was to
21 put people together that had a totally negative view of
22 this whole area.

23 MR. WATSON: Your Honor, I'm going to move to
24 strike that as beyond the scope.

25 JUDGE SMOLEN: It's not responsive, really, to the

1 question, so I'll grant the motion. Go ahead.

2 MR. SUGARMAN: I don't have any further questions,
3 Your Honor. Thank you.

4 JUDGE SMOLEN: Before we get to Mr. Watson, any
5 other counsel have any questions on recross of this wit-
6 ness based upon his redirect?

7 MR. DILLON: No.

8 MS. McCLOSKEY: No.

9 MS. BURKET: No.

10 JUDGE SMOLEN: All right.

11 Mr. Watson?

12 ---

13 RECROSS EXAMINATION

14 BY MR. WATSON:

15 Q. Dr. Liboff, I believe you just discussed on
16 redirect confounders.

17 A. Yes.

18 Q. You recall that?

19 A. Yes.

20 Q. And would it be fair to say that you referred
21 to confounders as possibly being something else that
22 might be magnetic? That's a possibility of a confounder
23 in these studies?

24 A. I didn't say it that way.

25 Q. Okay, well, let me --

1 A. It's in the spirit of what I said, yes.

2 Q. So would it be accurate to say that when you
3 say that you're looking for confounders in one of these
4 studies that one of the confounders that might confuse
5 the results might be some other source other than a power
6 line that might have magnetic properties?

7 A. Might be, among others, yes.

8 Q. Might be?

9 A. But it could be connected to the power line
10 also. For example, the orientation of the power line
11 geographically.

12 Q. Okay. So it doesn't necessarily have to be,
13 but that is a possibility?

14 A. It's a possibility?

15 Q. That's a fair way to say it?

16 A. It could be some other magnetic parameter that
17 is not connected to the power line.

18 Q. Do you know, in these studies, were these
19 studies done in homes?

20 A. Yes. The ones that many people have talked
21 about were studies done in homes.

22 Q. And do you know if in those homes there was
23 any other source of magnetic fields other than the power
24 line itself?

25 A. Yes, there were fields that were emanating

1 from household appliances, for example.

2 Q. How about household wiring?

3 A. And household wiring, which is always -- which
4 can be a potent source of magnetic field in the house.

5 Q. Okay. Now, as of last week, October 9th, when
6 I think you had your deposition taken, did you know the
7 voltage of this line?

8 A. I think I responded to you, to Mr. Smith at
9 that time, that I hadn't looked at the documentation.
10 But what I did when I got home that night was to look it
11 up again. It's a 230-kv proposed line.

12 MR. SUGARMAN: Do you have the page number?

13 MR. WATSON: Yes, page 39. Since I asked about
14 it, I should, in fairness, show it to the witness.

15 Page 39, on line -- the question, I think, actu-
16 ally starts on page 38, line 24. And the place I'm
17 referring to goes down to page 39, line five.

18 (Document handed to witness.)

19 BY MR. WATSON:

20 Q. Doctor, if you'll just read the question and
21 answer.

22 A. In response to whether I know the voltage
23 class or the transmission line involved in this case, I
24 said, "I do not."

25 And your next question was, "All right, thank

1 you."

2 Q. All right, thank you.

3 A. And I said it's, I know it's an upgrade; and
4 previously, either in disrepair or not in use.

5 Q. Okay, thank you.

6 And up to that time, Dr. Liboff, did you know the
7 electric and magnetic field values associated with the
8 line?

9 A. I had looked at the testimony of -- I don't
10 know why I have difficulty remembering the gentleman's
11 name' Boeggeman, I believe. And I had forgotten it at
12 the time that I did the deposition.

13 JUDGE SMOLEN: You forgot the name at the time?
14 What did you forget?

15 THE WITNESS: Oh, I forgot the values.

16 JUDGE SMOLEN: The values, okay.

17 THE WITNESS: I had a vague idea that they were
18 large, that's all.

19 BY MR. WATSON:

20 Q. Page 39, line 14 through 16. Could you just
21 read that for us, please?

22 A. "Do you know what the electric field or magne-
23 tic field values associated with this line will be?"

24 I answered, "No, I do not."

25 Q. Thank you.

1 MR. SUGARMAN: Your Honor, I could do it on
2 redirect, but he's excerpting certain questions --

3 JUDGE SMOLEN: That's cross examination and use of
4 depositions.

5 MR. SUGARMAN: Okay. But he's missing the
6 context.

7 JUDGE SMOLEN: That's the way we use depositions.

8 MR. SUGARMAN: I would request that the entire
9 context be given at this time.

10 MR. WATSON: Your Honor, I think I'm entitled to
11 do cross examination any way I want.

12 JUDGE SMOLEN: Yes.

13 MR. WATSON: And if Mr. Sugarman wants to do
14 redirect --

15 MR. SUGARMAN: It's just a waste of time.

16 JUDGE SMOLEN: Go ahead and read it.

17 MR. SUGARMAN: I just want my objection noted,
18 because --

19 JUDGE SMOLEN: It's noted.

20 MR. SUGARMAN: -- I don't want this dialogue to be
21 excerpted for the Commission as if there's not additional
22 questions and answers in the transcript which are rele-
23 vant to it to understand it; which Mr. Watson is deli-
24 berately not including.

25 MR. WATSON: I think the record speaks for itself,

1 Your Honor.

2 JUDGE SMOLEN: Yes.

3 (Pause.)

4 Anything more?

5 MR. WATSON: Nothing.

6 JUDGE SMOLEN: Oh, all right.

7 MR. SUGARMAN: Well, at least it will be close in
8 the record.

9

10 FURTHER REDIRECT EXAMINATION

11 BY MR. SUGARMAN:

12 Q. Dr. Liboff, I call your attention to the same
13 two pages that Mr. Watson was asking you about, pages 38
14 and 39.

15 (Document handed to witness.)

16 And I direct your attention to, starting right at
17 the top; would you just --

18 A. I answer the question --

19 Q. Starting on page 37.

20 MR. WATSON: Can we have the reference?

21 THE WITNESS: Page 37, line 24. The question
22 asked of me: "Other than the scientific literature on
23 E/MF, have you reviewed any materials in connection with
24 this case?"

25 Answer: "I have been exposed to material, but

1 whether I have reviewed them sufficiently to be conver-
2 sant with them is another matter."

3 "Which materials have you been exposed to?"

4 "Various documents that have been sent to me by
5 Mr. Sugarman's office."

6 "Could you give me any ideas of what some of these
7 are?"

8 Answer: "The documents relating to the adminis-
9 trative judge's materials that are sent out dealing with
10 the specifics of the right of way and power line sizes,
11 length of line, the other participants in the case, mat-
12 ters like that. Many of them I looked at, but I just
13 don't commit them to memory or reviewed them in any
14 detailed way."

15 I think that was in the past tense, not in the
16 present tense.

17 Q. Didn't?

18 A. Didn't, yes.

19 Q. Go ahead, keep going.

20 A. "Have you reviewed any testimony of other
21 witnesses in this case?"

22 Answer: "I may have. I don't remember though."

23 Q. Keep going.

24 A. Question: "You said a moment ago that some
25 of the materials that you had reviewed included some

1 information on such things as the voltage class of the
2 line."

3 Answer: "I did not review them, sir. I did not
4 review them, I looked at them."

5 Q. Okay. And then will you keep going?

6 A. Question: "I'm sorry. Yes, thank you. The
7 documents that you had looked at included that type of
8 information. Just to get an idea of what you're talking
9 about when you say you looked at them, for example, do
10 you know the voltage class of the transmission line
11 involved in this case?"

12 Answer: "I do not."

13 Q. Keep going.

14 A. Question: "All right, thank you."

15 Answer: "I know that it's an upgrade and that
16 it's a line which was previously either in disrepair or
17 not in use following a railroad right of way, and there
18 are issues concerning how close the various homes are,
19 among the people who had retained Mr. Sugarman, are among
20 to this proposed line. I think the voltage line itself
21 is over 100 kilovolts, as I remember."

22 Q. Thank you.

23 Now, Dr. Liboff, as you testified, or indicated in
24 response to Mr. Watson, would you describe the status of
25 your knowledge of the line, and your practice with

1 respect to retaining the details?

2 MR. WATSON: Objection, Your Honor. The question
3 just was, did he know the voltage.

4 MR. SUGARMAN: That was one of the questions.

5 MR. WATSON: This goes beyond it. He's launching
6 into materials beyond the scope of recross.

7 JUDGE SMOLEN: You had the witness read into the
8 record what he reviewed, what he had.

9 MR. SUGARMAN: Right.

10 JUDGE SMOLEN: Now, what was your question now?

11 MR. SUGARMAN: And the fact that he had a general
12 knowledge of the situation at the time of his deposition.

13 JUDGE SMOLEN: I know. But what's the question?

14 MR. SUGARMAN: All I'm asking him is whether it's
15 his practice to retain in his memory the details of each
16 individual line that he gets involved with.

17 JUDGE SMOLEN: You can answer that question. Is
18 it your practice to retain the details of each individual
19 line with which you get involved.

20 THE WITNESS: It is not, sir.

21 JUDGE SMOLEN: All right.

22 BY MR. SUGARMAN:

23 Q. Did Mr. Smith show you any documents that you
24 had seen before, or not seen before, to refresh your
25 recollection during your deposition in that regard?

1 A. I don't believe so.

2 Q. Thank you.

3 MR. SUGARMAN: No further questions.

4 JUDGE SMOLEN: Anything more?

5 MR. WATSON: No.

6 JUDGE SMOLEN: By any other counsel?

7 (No response.)

8 JUDGE SMOLEN: Then the witness is excused.

9 Thank you very much for appearing and testifying
10 today. And I hope you feel better and catch that plane
11 on time.

12 THE WITNESS: Thank you.

13 (Witness excused.)

14 JUDGE SMOLEN: Off the record.

15 (Discussion off the record.)

16 JUDGE SMOLEN: Back on the record.

17 Have you closed your direct case, Mr. Sugarman?

18 MR. SUGARMAN: I have. I have two motions to
19 make.

20 JUDGE SMOLEN: All right, we'll hear those. But
21 you've closed your direct case?

22 MR. SUGARMAN: Yes.

23 JUDGE SMOLEN: All right. And you want to make
24 your motions now?

25 MR. SUGARMAN: Yes. One motion is to join as

1 protestants the individuals who testified who were not
2 formally named as protestants.

3 JUDGE SMOLEN: Well, I got that in writing from
4 you to add. And I haven't received any response yet.
5 But I have a question on it.

6 Are you really just asking that the caption of
7 this case be changed? And I'm not sure that once a case
8 has commenced we can change a caption since it relates
9 back to the commonwealth court case.

10 The names that you've added, or that you've
11 motioned to add, they testified, did they not?

12 MR. SUGARMAN: Yes, they did.

13 JUDGE SMOLEN: And again, I'm not certain that we
14 can change a caption. The caption of the case is cap-
15 tioned, "Letter of Notification." We certainly cannot
16 change a caption with respect to the commonwealth court
17 case, which actually had names in it. Did it not?

18 MR. SUGARMAN: Well, the caption in the common-
19 wealth court had one name.

20 JUDGE SMOLEN: But wasn't there an et al in it?

21 MR. SUGARMAN: It had an et al, right.

22 JUDGE SMOLEN: But there was a list of names.

23 MR. SUGARMAN: Once it's remanded to the
24 Commission, the Commission can add or subtract parties.

25 JUDGE SMOLEN: Well, the caption of this case

1 doesn't have names in it. The caption is captioned,
2 "Letter of Notification."

3 MR. SUGARMAN: Right. There's no need to change
4 the caption. I'm only asking that they be made formal
5 protestants, that's all.

6 JUDGE SMOLEN: Well, I didn't get an answer yet.

7 MR. BONNEY: If I could just respond orally, Your
8 Honor.

9 JUDGE SMOLEN: Yes.

10 MR. BONNEY: We have no basic objection to those
11 people that testified being added to the list of protes-
12 tants if they were not previously on the list; with one
13 qualification.

14 There were some people on the list that we
15 received, that I believe Mr. Sugarman filed, who did not
16 testify.

17 And particularly, I recall the name of an attorney
18 for, I believe, Southampton Township named Mr. Marte;
19 M-A-R-T-E, I believe it's spelled.

20 And he did not testify; and I believe there were
21 some others that did not testify. I would have objected
22 to his testifying.

23 JUDGE SMOLEN: Are you asking that they be deleted
24 as protestants?

25 MR. BONNEY: Those people that did not testify,

1 yes.

2 JUDGE SMOLEN: Well, why don't you file something
3 on it.

4 And I want to ask Counsel, what is the --

5 MR. BONNEY: If I can just --

6 JUDGE SMOLEN: Go ahead.

7 MR. BONNEY: I don't think it's his -- I gather
8 from what Mr. Sugarman said that it's not his intention
9 to add those people that did not testify, as protestants.

10 MR. SUGARMAN: No.

11 JUDGE SMOLEN: Well, I'm asking plainly. What do
12 you want the administrative law judge to do with the
13 posture of this case in connection with these
14 protestants?

15 They haven't filed a protest. You mean add them
16 as signatories, nunc pro tunc, as though they had signed
17 the original protest in the case, or your original
18 document?

19 MR. SUGARMAN: No, not nunc pro tunc.

20 JUDGE SMOLEN: Well, what do you want the record
21 to show?

22 MR. SUGARMAN: That they be admitted as additional
23 protestants.

24 MR. BONNEY: We have no objection to that as far
25 as the people who testified.

1 MR. SUGARMAN: I'll settle for that.

2 JUDGE SMOLEN: All right. Do you want me to do
3 anything with it?

4 MR. SUGARMAN: No, I think it's on the record. As
5 long as Your Honor will order it.

6 JUDGE SMOLEN: It's by agreement of counsel.
7 Those parties to whom you have referred to in your motion
8 to add names of protestants is granted in accordance with
9 the agreement of counsel.

10 Do you want to put those names on the record?

11 MR. SUGARMAN: I don't have them at this moment,
12 Your Honor.

13 JUDGE SMOLEN: Well, all right. Well, then maybe
14 the next time you can, because so far it's only a
15 pleading.

16 MR. SUGARMAN: Thank you, Your Honor.

17 The other motion I would make is that the prehear-
18 ing order be amended to provide for the submission of
19 written testimony by the applicant as regards the expert
20 witnesses that they intend to produce.

21 At the time Your Honor originally issued the pre-
22 hearing order, I misunderstood the order, and --

23 JUDGE SMOLEN: You're talking about rebuttal wit-
24 nesses now?

25 MR. SUGARMAN: Well, they're called rebuttal

1 witnesses. But I don't think it's fair in this case.
2 And I understand that this is the Commission's
3 terminology. I'm not quarreling with Your Honor.

4 By fair in this case, what I mean is, I don't
5 think that terminology applies here. It is clear that we
6 presented a case in chief, and had nothing from PECO in
7 advance.

8 This issue is characterized by PECO and by the
9 Commission as being intensely technical, and character-
10 ized by Your Honor --

11 JUDGE SMOLEN: Now wait a minute, let me
12 interrupt. Didn't you have prepared direct testimony
13 from PECO in this case?

14 MR. SUGARMAN: No.

15 MR. BONNEY: Yes, Your Honor, testimony by two
16 witnesses, Mr. Boeggeman and Mr. Oddemann.

17 MR. SUGARMAN: Well, I was saying, that testimony
18 said nothing about the subject of E/MF. I mean, I say
19 nothing; it identified the amount of E/MF on the line.

20 JUDGE SMOLEN: All right, okay. Well, let's see
21 what they have to say. You said you would like to have,
22 let's see what the Company said.

23 MR. SUGARMAN: I just want to make sure they don't
24 argue with my characterization.

25 JUDGE SMOLEN: I'm not sure that they're going to

1 present any witnesses. Let's find out first.

2 MR. SUGARMAN: Well, before you do, can I just say
3 why I think I need it?

4 JUDGE SMOLEN: Go ahead.

5 MR. SUGARMAN: Not to contradict the fact that
6 Boeggeman and Oddemann presented prepared testimony, but
7 it had no opinions about E/MF.

8 It wasn't qualified, and they weren't qualified,
9 and I was precluded from cross-examining them regarding
10 the question of whether electromagnetic fields have an
11 adverse health effect, or whether they even change bio-
12 logical systems. All of that was to be covered later.

13 And my point is that whatever the Commission's
14 normal procedures are, the testimony in this case by the
15 applicant was so void of any substantive testimony with
16 respect to electromagnetic fields that, in effect, we
17 were the first party to present evidence on it.

18 And it would be in the same spirit as Your Honor
19 ordered us to present direct testimony.

20 JUDGE SMOLEN: All right, let me find out from the
21 Company.

22 Does the Company intend to present expert witness-
23 ses in this field?

24 MR. BONNEY: Yes, Your Honor, we do.

25 JUDGE SMOLEN: All right. Is the Company prepared

1 to file and distribute prefiled direct testimony of your
2 experts?

3 MR. BONNEY: No, we're not, Your Honor. We've
4 been relying on your prehearing order and the multiple
5 times that we've referenced oral rebuttal testimony as
6 far as the scheduling in this case.

7 I think it's a little late in the game, consider-
8 ing in particular that you're order was in July, to now
9 suggest that we should suggest written testimony on this.

10 I would also point out that the reason our initial
11 direct testimony was, as Mr. Sugarman said, void of sub-
12 stantive testimony regarding E/MF, is because we don't
13 have the burden of proof in this case.

14 We are responding to the testimony that's being
15 presented by the protestants and by the other parties in
16 this case, particularly the OCA.

17 And it's quite obvious, in fact I spelled it out
18 in my cover letter, my transmittal letter, that we were
19 not presenting testimony on that, but would reserve that
20 for rebuttal.

21 JUDGE SMOLEN: Are you saying you're not going to
22 present rebuttal testimony to the witnesses presented by
23 Mr. Sugarman?

24 MR. BONNEY: No, we are, Your Honor.

25 JUDGE SMOLEN: And you mentioned something about

1 OCA.

2 MR. BONNEY: And to the OCA as well; we may. We
3 intended to do that orally, which was consistent not only
4 with your prehearing order, but with every one of the
5 many schedule changes that we've had in this case.

6 The other thing is, if we are --

7 JUDGE SMOLEN: We have had, as you know,
8 Mr. Bonney, in various rate cases, prefiled rebuttal
9 testimony, in rate cases.

10 MR. BONNEY: Certainly.

11 JUDGE SMOLEN: Now, the next hearing is, what,
12 Monday? Not next week, but the week after, is that
13 right?

14 MR. BONNEY: That's correct. Your Honor, there is
15 absolutely no way we could be prepared to file written
16 testimony before that date. We were planning on that
17 date as the date to prepare our case.

18 We hope to have some idea of where we're going to
19 be going, but if we're talking now about preparing writ-
20 ten testimony, that's a different matter.

21 And I would request that if you're going to order
22 us --

23 JUDGE SMOLEN: Yes, we can modify the schedule.

24 MR. BONNEY: -- that we modify the schedule
25 accordingly.

1 JUDGE SMOLEN: I'm just wondering, what does OCA
2 have to say?

3 MS. McCLOSKEY: Yes, I would like to join in
4 Mr. Sugarman's motion requesting that the Company file
5 written rebuttal testimony.

6 It's obvious from the cross examination of OCA
7 witnesses and Mr. Sugarman's today that this is an
8 extremely complex area, and that Mr. Sugarman and the
9 intervenors are going to be disadvantaged by having to
10 hear the testimony for the first time orally.

11 Also, the Company has had the OCA's testimony
12 since the end of August. And I'm sure that they've been
13 preparing their response to that.

14 And although we have a continuing interrogatory as
15 to what that response is going to be, we have received no
16 information from the Company as to who will be exactly
17 testifying in response to the OCA, or any summary or
18 indication of what that testimony will be. And that's an
19 interrogatory that's been outstanding since July.

20 And I believe now that we are operating at a tre-
21 mendous disadvantage.

22 JUDGE SMOLEN: Does the Law Bureau have any
23 position?

24 MS. BURKET: I was going to make an argument,
25 but --

1 JUDGE SMOLEN: Well, make it if you want to make
2 it.

3 MS. BURKET: Well, what I was going to do is
4 remind everyone that this is a Letter of Notification
5 proceeding, and how everything started.

6 And PECO had to prove and satisfy certain stan-
7 dards under our regulation, which they did. And they
8 presented witnesses accordingly on their direct testi-
9 mony, and then we had the, what do you call it, the
10 rebuttal.

11 JUDGE SMOLEN: The direct testimony.

12 MS. BURKET: Yes.

13 JUDGE SMOLEN: Go ahead.

14 MS. BURKET: So we've done that. And I guess I
15 would agree that this subject matter is complex, and that
16 prefiled testimony would make the proceeding fairer.

17 But I would suggest that the hearing date be
18 rescheduled.

19 JUDGE SMOLEN: Be modified, yes.

20 MS. BURKET: So that there should be more time for
21 preparation of testimony.

22 JUDGE SMOLEN: Does PP&L have any position?

23 MR. DILLON: Only, Your Honor, that if there is --
24 we take no position on the issue, but if there is to be
25 prefiled testimony, we would also request an extension of

1 the hearing so that we could have our independent experts
2 review such prepared testimony.

3 JUDGE SMOLEN: How much time would you need?

4 MR. BONNEY: I'd prefer Mr. Watson, since he's
5 sitting here --

6 JUDGE SMOLEN: Well, sure, why don't you confer
7 for a minute. I want to get my calendar.

8 Let's take a short recess.

9 (Recess.)

10 JUDGE SMOLEN: Back on the record.

11 Have you reached a verdict?

12 MR. BONNEY: Yes. I would just like to say a
13 couple things before we get to the question of if there's
14 going to be written testimony, when we would be in a
15 position to file that.

16 I think the request for us to file written rebut-
17 tal testimony is untimely. And if you talk about preju-
18 dice, we're talking about a case here, we're trying to
19 decide an issue about a line that's needed to supply
20 power to people.

21 We would like to have this question resolved expe-
22 ditiously, and we continue to push the schedule back. I
23 think that works to our detriment.

24 And I would just point out in that regard that the
25 protestants, in a pleading filed immediately following

1 the issuance of the decision by Commonwealth Court
2 Judge Palladino, requested that the hearings be held
3 within 30 days of that order, or some months ago.

4 So I think the whole question of whether we should
5 file written testimony or not was decided a long time
6 ago. And to ask for a reconsideration of that question
7 at this time is untimely.

8 With respect to how much time we would need if we
9 are so ordered, we are going to need a significant amount
10 of time.

11 And by that I'd say a minimum of 30 days, because
12 our witnesses are unavailable to prepare written testi-
13 mony between now and that time.

14 Basically, there's a lot more work that goes into
15 preparing a written document in advance than there is in
16 preparing oral testimony. And we have relied on your
17 orders scheduling the oral testimony.

18 Your Honor, Mr. Watson has something to add with
19 respect to one of the witnesses we intend to call in
20 particular.

21 JUDGE SMOLEN: I want this proceeding to proceed
22 on a fair, equal footing basis.

23 Now, having stated that, let's hear what
24 Mr. Watson has to say.

25 MR. WATSON: This more relates to timing than that

1 question. But maybe I can just focus on that part of it.

2 Obviously we have to reassess where we are after
3 the testimony concluded today on the part of the appli-
4 cant, and determine, in the final analysis, what we might
5 do in terms of the substance of rebuttal.

6 But one thing we certainly know --

7 JUDGE SMOLEN: Well, wait a minute. You would
8 have to do that anyway --

9 MR. WATSON: That's right.

10 JUDGE SMOLEN: -- by the twenty-eighth even if it
11 were oral.

12 MR. WATSON: That's right.

13 JUDGE SMOLEN: So go ahead.

14 MR. WATSON: So once we nail that down, one thing
15 we know for sure out of that process, I think, right now,
16 is that there is one witness that we've, I think, pre-
17 viously designated to call. That's a Mr. Silva.

18 I have had occasion to talk to Mr. Silva about
19 another matter, and his availability. And he has made it
20 clear to me that he is basically not available to do
21 anything else because of his time being booked doing
22 studies, etcetera, until roughly mid-December. I might
23 be able to gain a few days, but not much more.

24 We would certainly, obviously, make the time, some
25 way, to work with him between now and then. But he would

1 be --

2 JUDGE SMOLEN: You mean for his personal
3 appearance?

4 MR. WATSON: Yes, to get him to do something in
5 writing. And I have asked him, quite frankly, for writ-
6 ten testimony in connection with another matter entirely,
7 and that's why I happen to know what his particular
8 availability is.

9 JUDGE SMOLEN: Well, was it your intention to have
10 him in on the twenty-eighth, twenty-ninth and thirtieth
11 of this month?

12 MR. WATSON: Yes, Your Honor, just to have him
13 come in and do it. But if we have to go to the point of
14 trying to get something in writing, obviously that takes
15 longer.

16 JUDGE SMOLEN: Well, that's true. But those three
17 days are apparently set aside for him.

18 MR. WATSON: I think he was set aside for one day.

19 JUDGE SMOLEN: Well, one day.

20 MR. WATSON: That's all he was available for. And
21 certainly, I guess, one of the things we could try to do
22 is to --

23 JUDGE SMOLEN: Get that testimony prepared on that
24 one day.

25 MR. WATSON: Do preparation with him on that one

1 day, if we can.

2 JUDGE SMOLEN: Yes.

3 MR. WATSON: If he can get it done and written on
4 that day.

5 JUDGE SMOLEN: Well, that's what I was driving at.

6 MR. WATSON: And then we would be looking at a
7 testimony date.

8 JUDGE SMOLEN: Yes.

9 MR. WATSON: And based upon his schedule, Your
10 Honor, I think the first available time that he has is
11 around the 15th of December.

12 JUDGE SMOLEN: What about other rebuttal witnes-
13 ses, or is he the sole one that you are now contemplating
14 using?

15 MR. WATSON: No, I would say we probably have a
16 couple of others, Your Honor. And we would just have to
17 -- we certainly have him available for the time that Your
18 Honor has scheduled for us to present our rebuttal
19 testimony.

20 But in terms of preparing written testimony, and
21 whether there would be adequate time to do so, I can't
22 say unless we call him and say, you know, are you avail-
23 able between so and so time and so and so time to do
24 this, and we see how much time we have, whether they have
25 time to get these things written.

1 I think we just have to call him, Your Honor.
2 What we did is reserve the time for him to appear here
3 and testimony, based on our understanding of the order.

4 JUDGE SMOLEN: Does anyone have any suggestions?
5 I'm inclined to direct the preparation of written testi-
6 mony so that the proceeding proceed on an even keel and a
7 fair basis, and giving all parties the opportunity to
8 review expert testimony prior to the time they have to
9 cross-examine.

10 MR. WATSON: I understand, Your Honor. And I
11 think --

12 JUDGE SMOLEN: Now, that's one half of the
13 problem. The second half is the scheduling problem.

14 Now, go ahead.

15 MR. WATSON: And I think that's really what's
16 involved here, is what the price in terms of scheduling
17 is going to be; if Your Honor wants to change the order
18 and have prefiled direct, what the scheduling consequence
19 of that will be.

20 We only have the information on one part of it;
21 we'd have to find out from the other experts what that
22 would be.

23 I can't say that I -- I just don't know their
24 schedules well enough to know that right now.

25 JUDGE SMOLEN: Do we want to then conclude today

1 and give you an opportunity to check with your witnesses,
2 and then we can get together on some conference call
3 later this week? I guess it would have to be Thursday or
4 Friday. I'm with Mr. Sugarman in another case on the
5 twenty-first and the twenty-second in Phoenixville.

6 So Friday of this week; are two days sufficient
7 time?

8 MR. WATSON: I think so. Your Honor, I guess the
9 best thing I could say is that what we would represent to
10 do is that we would make an effort to reach them as expe-
11 ditiously as possible.

12 JUDGE SMOLEN: Right.

13 MR. WATSON: That as soon as we have reached the
14 experts and found out information about their schedule,
15 that --

16 JUDGE SMOLEN: Mr. Bonney has in the past been
17 kind enough to initiate conference calls.

18 MR. WATSON: Right.

19 JUDGE SMOLEN: And I would request that he do so
20 in this instance with the ALJ, as well as the other par-
21 ties, and we can at least hear the report as to the
22 availability of your experts.

23 MR. WATSON: Yes, I think that's the best we can
24 do under the circumstances.

25 JUDGE SMOLEN: All right.

1 MR. BONNEY: Shall we set a time for the call on
2 Friday?

3 MR. SUGARMAN: I don't know my calendar. I know I
4 have to be out of town in the morning. I think I'm
5 available in the afternoon. We can work it out.

6 JUDGE SMOLEN: Well, let me ask this,
7 Mr. Sugarman. There's going to be written direct
8 testimony. Do you really care when you're going to get
9 it?

10 MR. SUGARMAN: Do I care when I'm going to get it?

11 JUDGE SMOLEN: Yes. As long as you get it suf-
12 ficiently in advance of a scheduled hearing date.

13 MR. SUGARMAN: Right.

14 JUDGE SMOLEN: How much time do you need in
15 advance of a scheduled hearing date.

16 MR. SUGARMAN: It depends on when it is.

17 JUDGE SMOLEN: Okay.

18 MR. SUGARMAN: But to be safe, let's say ten days.

19 JUDGE SMOLEN: You see, I have a problem in the
20 afternoon on the eighteenth, and you say you're only
21 available on the eighteenth.

22 MR. SUGARMAN: Well, I'll make myself available in
23 the morning.

24 JUDGE SMOLEN: Okay.

25 MR. SUGARMAN: I'll figure out a way to do it.

1 JUDGE SMOLEN: Then you'll try to initiate that
2 call.

3 MR. BONNEY: Ten o'clock?

4 JUDGE SMOLEN: At ten o'clock, or whenever.

5 MR. SUGARMAN: Well, wait a minute, that's the one
6 time I can't. I've got a court thing at ten o'clock. So
7 if we made it like nine thirty or eleven o'clock.

8 JUDGE SMOLEN: Well, make it at eleven. Are you
9 going to be out by eleven?

10 MR. SUGARMAN: I don't think so. I'll be out, but
11 I won't be in the city, but I'll call in from where I am.
12 I'll be in Bucks County, but I'll call in from there.

13 JUDGE SMOLEN: I don't care what time, as long as
14 we can get everyone.

15 MR. SUGARMAN: Say eleven.

16 MR. BONNEY: Eleven o'clock.

17 JUDGE SMOLEN: Eleven o'clock on Friday. All
18 right?

19 MR. SUGARMAN: All right.

20 JUDGE SMOLEN: All right.

21 Before we conclude then, the upshot of our conver-
22 sations now is that the twenty-eight, twenty-ninth and
23 thirtieth will be cancelled, since we're going to have
24 written testimony, or rebuttal testimony by the Company
25 in writing. So we're not going to have hearings on the

1 twenty-eighth, twenty-ninth and thirtieth. Is that clear
2 to everyone?

3 (No response.)

4 JUDGE SMOLEN: Okay, then we'll adjourn today's
5 session; conference call initiated by Mr. Bonney on
6 October 18th at approximately eleven a.m.

7 MS. BURKET: Your Honor, can I say one thing?

8 JUDGE SMOLEN: Yes.

9 MS. BURKET: I was going to request that as long
10 as we're having PECO's rebuttal evidence come in as
11 direct testimony from experts, written testimony, I'd
12 like to make a suggestion that that also be --

13 JUDGE SMOLEN: Surrebuttal?

14 MS. BURKET: -- for surrebuttal if there's experts
15 presented, so we can get that out of the way.

16 JUDGE SMOLEN: Well, that may mean a return visit.
17 Well, that's up to Mr. Sugarman. Satisfied; written
18 surrebuttal.

19 MS. BURKET: I think that's fair.

20 JUDGE SMOLEN: Make it all fair; fair to everyone.
21 The OCA has no problem?

22 MS. McCLOSKEY: I have no problem with that, Your
23 Honor.

24 JUDGE SMOLEN: All right, so that's the case,
25 expert testimony, testimony by experts, is to be in

1 prepared written form, whether it's rebuttal, surrebut-
2 tal, rejoinder, surrejoinder; whatever comes after that,
3 I don't really remember. Sur-surrebuttal, or whatever.

4 MR. SUGARMAN: Can I ask if Ms. Burket is going to
5 present any testimony?

6 MS. BURKET: I'm not planning to.

7 JUDGE SMOLEN: All right. So the hearing is
8 adjourned. We'll discuss this in a conference call on
9 October 18th. The hearings of October 28th, 29th and
10 30th are cancelled.

11 (Whereupon, at 4:35 p.m., the hearing was
12 concluded.)

13 ***
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

1
2 I hereby certify, as the stenographic reporter,
3 that the foregoing proceedings were taken stenographically
4 by me, and thereafter reduced to typewriting by me, or under
5 my direction; and that this transcript is a true and accu-
6 rate record to the best of my ability.

7
8 COMMONWEALTH REPORTING COMPANY, INC.

9
10 By: William J. Horst
11 William J. Horst